



STATE OF ILLINOIS     )  
                                  )  
COUNTY OF COOK        )

IN THE CIRCUIT COURT OF COOK COUNTY, ILLINOIS  
COUNTY DEPARTMENT, LAW DIVISION

ALVIN JONES, JR., as administrator    )  
of the estate of TONYA REAVES,        )  
deceased and as Guardian of the       )  
estate of ALVIN JONES, III,            )  
  )

Plaintiff,                                )

vs.                                        )

No. 2013 L 000076

PLANNED PARENTHOOD OF ILLINOIS,     )  
MANDY GITTLER, M.D., NORTHWESTERN    )  
MEMORIAL HOSPITAL, NORTHWESTERN     )  
MEDICAL FACULTY FOUNDATION, TACOMA   )  
MCKNIGHT, M.D., AND JAMIE MCGUIRE,   )  
M.D.                                       )

Defendants.                                )

VIDEOTAPED DISCOVERY DEPOSITION

Deposition of MANDY GITTLER, M.D., taken by the  
Plaintiff herein, pursuant to notice and the provisions of  
the Code of Civil Procedure of the State of Illinois and  
the Rules of the Supreme Court thereof pertaining to the  
taking of depositions for the purpose of DISCOVERY, before  
JOE NUNEZ-BEILE, a Notary Public within and for the County  
of Cook and State of Illinois, on the 22nd day of August,  
2013, at the hour of 1:19 p.m., at 161 North Clark Street,  
Chicago, Illinois.

A P P E A R A N C E S

PHILLIPS LAW OFFICES  
161 North Clark Street  
Suite 4925  
Chicago, Illinois 60601  
BY: MR. STEPHEN D. PHILLIPS

Appeared on behalf of the Plaintiff

PRETZEL & STOUFFER  
One South Wacker Drive  
Suite 2500  
Chicago, Illinois 60606  
BY: MR. BRIAN T. HENRY

Appeared on behalf of the Defendant,  
Mandy Gittler, M.D. and Planned Parenthood.

DONOHUE BROWN MATHEWSON & SMYTH, LLC.  
140 South Dearborn  
Suite 800  
Chicago, Illinois 60603  
BY: MR. STETSON F. ATWOOD

Appeared on behalf of the Defendant,  
Northwestern Memorial Hospital, et al.

ALSO PRESENT:

CHRISTINE J. IVERSEN, J.D.

INDEX OF WITNESSES

PAGE

DR. MANDY GITTLER:

Examination by: MR. PHILLIPS.....5  
Examination by: MR. HENRY.....150  
Further Examination by: MR. PHILLIPS.....152  
Further Examination by: MR. HENRY.....160  
Examination by: MR. ATWOOD.....161  
Further Examination by: MR. PHILLIPS.....161

INDEX OF EXHIBITS

Plaintiff's Deposition Exhibit No. 1.....9  
Plaintiff's Deposition Exhibit No. 2.....82  
Plaintiff's Deposition Exhibit No. 3.....52  
Plaintiff's Deposition Exhibit No. 4.....55  
Plaintiff's Group Deposition Exhibit No. 5.....113

1           THE REPORTER: For the record, my name  
2 is Joe Beile of Video Instanter. I'm the video  
3 recording device operator and officer for this  
4 deposition. Our business address is 134 North  
5 LaSalle Street, Suite 1400, Chicago, Illinois  
6 60602.

7           This deposition is being video recorded  
8 and will be transcribed by nonstenographic means  
9 pursuant to Illinois Supreme Court Rule 206 and  
10 all other applicable State and Local Rules.

11           We are at 161 North Clark Street, in  
12 Chicago, Illinois, to take the videotaped  
13 discovery deposition of Mandy Gittler, M.D. in the  
14 matter of Alvin Jones vs. Planned Parenthood of  
15 Illinois, et al., Case No. 2013-L-000076, in the  
16 Circuit Court of Cook County Illinois; County  
17 Department, Law Division.

18           Today's date is August 22, 2013, and the  
19 time is approximately 1:19 p.m. This deposition  
20 is being videotaped on behalf of the plaintiff and  
21 is being taken at the instance of the plaintiff.

22           Would the attorneys present please  
23 introduce themselves for the record.

24           MR. PHILLIPS: Steve Phillips for the

1 plaintiff.

2 MR. ATWOOD: Stetson Atwood on behalf of  
3 Northwestern Memorial Hospital and those  
4 defendants.

5 MR. HENRY: Brian Henry on behalf of Dr.  
6 Gittler and Planned Parenthood of Illinois.

7 THE REPORTER: Would you please raise  
8 your right hand?

9 (WHEREUPON, the witness  
10 was duly sworn.)

11 E-X-A-M-I-N-A-T-I-O-N

12 BY MR. PHILLIPS:

13 Q. Would you state your full name and spell  
14 your last name, please?

15 A. Mandy Lynn Gittler, G-I-T-T-L-E-R.

16 Q. Have you ever given a deposition before?

17 A. No.

18 Q. You understand I'm going to be asking  
19 you a series of questions?

20 A. Yes.

21 Q. Mr. Atwood and your own lawyer, Mr.  
22 Henry, may ask you questions as well.

23 A. Okay.

24 Q. If there's any question that I ask you

1 or any other lawyers ask you that you do not  
2 understand, will you stop us and let us know  
3 before you answer?

4 A. Yes.

5 Q. Okay. Also, let me finish my questions.  
6 You may be anticipating where I'm going with a  
7 question but let me finish

8 A. Okay.

9 Q. Okay? Prior to the deposition today,  
10 did you review any documents other than the chart  
11 from Planned Parenthood?

12 A. Yes.

13 Q. What else?

14 A. The chart from Planned Parenthood. I  
15 received a copy of Northwestern's record. I guess  
16 it's called a record, and I received a copy of a  
17 deposition -- another deposition you had done, I  
18 think with the plaintiff.

19 Q. Okay. Is that it?

20 A. That I can recall. Yes.

21 Q. Did you look at the autopsy report for  
22 Mrs. -- for Ms. Reaves?

23 A. I never saw the report itself.

24 Q. Okay. That begs the question what part

1 of the autopsy did you see?

2 A. I heard from some people what the  
3 autopsy found.

4 Q. Okay. And are you aware as you sit her  
5 today that the aut -- at autopsy there was found  
6 to be a perforated uterus in Ms. Reaves?

7 A. I was told by someone else that there  
8 was two perforations in her uterus.

9 Q. Okay. And where in the uterus is your  
10 understanding that the perforations occurred in  
11 this case?

12 A. I didn't see the autopsy so I'm not  
13 exactly clear.

14 Q. What is your understanding of where the  
15 perforations were in the uterus, other than the  
16 fact that they were in the uterus?

17 A. Again, without seeing the autopsy and  
18 having heard different things from different  
19 people, I'm not really clear.

20 Q. Okay. So you have no idea?

21 A. I don't have the facts.

22 Q. Do you have an opinion where the  
23 perforations were in the uterus for Ms. Reaves  
24 that were found at autopsy?



1 A. No.

2 Q. Now, prior to the deposition, did you  
3 review any literature with regard to preparing for  
4 this deposition?

5 A. Medical literature? Not -- not  
6 specifically in preparation for this but I  
7 frequently do literature searches.

8 Q. But what I'm asking is with regard to  
9 this particular deposition, did you review any  
10 literature?

11 A. No.

12 Q. Okay. You met with your attorney before  
13 the deposition?

14 A. True.

15 Q. Did you talk to any of your colleagues  
16 about the fact that you were going to give a  
17 deposition?

18 A. I think I talked to my colleagues more  
19 about the fact -- the facts of the case and the  
20 idea that I would be deposed.

21 Q. Has anybody outside of your attorney  
22 ever discussed with you the deposition process or  
23 anything like that?

24 A. Well, my dad's an attorney, so I heard

1 about what they are, but not -- not for this case.

2 Q. Is your dad an attorney in Illinois?

3 A. Yeah.

4 Q. Is your dad a divorce attorney?

5 A. No.

6 Q. Okay. What type of practice does your  
7 dad have?

8 A. He's a labor attorney.

9 Q. Okay. Did you read any books or look on  
10 the Internet with regard to how to testify or  
11 what's going to happen in the deposition?

12 A. No.

13 Q. Your CV is marked Exhibit 1.

14 A. Yes. Correct.

15 Q. Your education at Rush Medical College  
16 is listed as alternative curriculum.

17 A. Yes.

18 Q. What is that?

19 A. When I went to medical school, they were  
20 transitioning from basic sciences to more problem  
21 based learning, which is now the standard, and so  
22 Rush had started an alternative curriculum. If  
23 I'm not mistaken, there were 24 of us who decided  
24 to do it, and all of our basic sciences were

1 problem based learning.

2 Q. Okay.

3 A. Since then this -- My understanding is  
4 most medical schools do have problem based  
5 learning as a standard.

6 Q. Are you married?

7 A. No.

8 Q. Okay. Alright, you did your residency  
9 at Rush. You finished --

10 A. No.

11 Q. Oh, I'm sorry, you're right. I  
12 apologize. You did medical school at Rush.

13 A True.

14 Q. Did you apply for an obstetrics and  
15 gynecology residency while you were in medical  
16 school?

17 A. No.

18 Q. What did you apply for -- what type of  
19 residency when you were in medical school?

20 A. Family medicine.

21 Q. And what was it about family medicine  
22 that interested you or intrigued you?

23 A. I specifically had gone to medical  
24 school for women and children, and family medicine

1 allowed me not only to take care of women but also  
2 to take care of children and include obstetric  
3 care.

4 Q. Now, you have a fair amount of  
5 obstetrical/gynecological on your employment.

6 A. Mm-hmm.

7 Q. Have you ever applied for -- Strike  
8 that.

9 Have you ever applied for a residency in  
10 obstetrics and gynecology?

11 A. No.

12 Q. Do you have any certification from any  
13 organization in obstetrics and gynecology?

14 A. No.

15 Q. Is there any type of certification that  
16 you are eligible to get with regard to obstetrics  
17 and gynecology?

18 A. Other than from the American Board of  
19 Family Practice?

20 Q. Well, is there any type of certification  
21 from the American Board of Family Practice for a  
22 subspecialty in obstetrics and gynecology?

23 A. In women's health? There are  
24 fellowships that exist now in women's health for

1 family medicine.

2 Q. And did you take one of the fellowships  
3 in family medicine for women's health?

4 A. No, at the time that I graduated those  
5 fellowships weren't necessarily in existence,  
6 unless you wanted to do C-section training in a  
7 rural area.

8 Q. Okay. So let's go back to my question.  
9 You're board certified in family medicine?

10 A. Mm-hmm.

11 MR. HENRY: You got to say -- You got to  
12 say words.

13 THE WITNESS: Yes, yes, yes.

14 BY MR. PHILLIPS:

15 Q. And when did you become board certified  
16 in family medicine?

17 A. 2001.

18 Q. And did you pass the family medicine  
19 board the first time?

20 A. I did.

21 Q. Both the verbal and the written?

22 A. There is no verbal.

23 Q. Okay.

24 A. There wasn't then. I don't think there

1 is one now either.

2 Q. Alright. Have you recertified in family  
3 medicine since --

4 A. I did.

5 Q. Hang on, hang on.

6 MR. HENRY: Wait, let him finish his  
7 question.

8 BY MR. PHILLIPS:

9 Q. Have you recertified in family medicine  
10 since 2001?

11 A. Yes, I have.

12 Q. What year, roughly?

13 A. 2007 or 2008, but I think it was more  
14 2008.

15 Q. And have you had any recertifications in  
16 family medicine since 2007 and 2008?

17 A. I'm not due for recertification until  
18 2015.

19 Q. Have you ever or do you now hold any  
20 special certifications in family medicine related  
21 to obstetrics and gynecology?

22 A. Any certifications, no.

23 Q. Other than the fellowship, are there any  
24 certifications in family medicine specifically

1 related to obstetrics and gynecology?

2 A. What do you mean by certifications?

3 Q. Well, what I'm trying to find out is  
4 people can have a special certificate in a  
5 particular -- Hang on, hang on. People can have a  
6 special certificate of competence or quality or  
7 even --

8 A. Right.

9 Q. -- a subboard.

10 A. Okay. So, no, I don't have any  
11 subspecialty certifications, but I have been  
12 certified by the American Life also, American Life  
13 Saving in Obstetrics, and I have been a teacher  
14 for the course.

15 Q. What is American Life Savings?

16 A. No, it's Advanced Life Saving and  
17 Obstetrics.

18 Q. Okay, what is Advanced Life Saving and  
19 Obstetrics.

20 A. It would be analagous to ACLS or  
21 Advanced Cardiac Life Support. So it's a course,  
22 two day course that you take to be prepared for  
23 obstetric emergencies. I don't know if it's  
24 certified by the American College of Obstetrics

1 and Gynecology. I think it might be, but I do  
2 know that it's supported by the American Academy  
3 of Family Practice.

4 Q. Alright. How many abortions did you  
5 perform in your residency?

6 A. As a resident? I don't recall exactly.  
7 Do you want me to guess --

8 Q. Give me --

9 A. -- or estimate?

10 Q. Give me your best --

11 A. Estimate?

12 Q. Hang on, hang on. Give me your best  
13 reasoned estimates of how many abortions you  
14 performed in your residency.

15 A. Probably about 150.

16 Q. And of the 150 or so abortions that you  
17 performed in your family practice residency, how  
18 many of them were first term versus second term  
19 abortions?

20 A. Can you clarify whether you're dividing  
21 it 12 weeks gestation or 13 weeks gestation?

22 Q. Well, that's what was my next question  
23 was going to be.

24 A. Okay.



1 Q. When we talk about a first term  
2 abortion, how many weeks are we talking about?

3 A. The first trimester ends at 12 weeks and  
4 6 days, so 12 and 6/7, and so theoretically 13  
5 weeks should be the start of the second trimester.

6 Q. So for purposes of this deposition,  
7 we're going to call first term abortions 12 and  
8 6/7, and we going to call second term abortions  
9 past 13 weeks. Fair enough?

10 A. Okay. Yeah.

11 Q. Alright. So how many first term  
12 abortions did you perform in your family practice  
13 residency? Your best estimate.

14 A. 120.

15 Q. So approximately 30 or so second term  
16 abortions you performed in your family practice  
17 residency. Is that correct?

18 A. I would estimate approximately. Yeah.

19 Q. Now, second term abortions range from 13  
20 weeks to how many weeks?

21 A. The second trimester should go from 13  
22 weeks to 26 weeks and 6 days, but again depending  
23 on who you ask, that question might vary -- that  
24 answer might vary.

1 Q. I'm sorry, what was the longer range?

2 A. 26 and 6/7, but that's a trimester, and  
3 there's a distinction in abortion care versus  
4 obstetric care, primarily because of the issue of  
5 viability at 24 weeks, so --

6 Q. Have you performed any third term  
7 abortions in your residency?

8 A. No.

9 Q. Have you ever performed any third term  
10 abortions?

11 A. No.

12 Q. What's the farthest along in gestational  
13 age that you have performed an abortion?

14 A. 16 and 6/7, or an estimated 16 week  
15 gestational age upwards of 16 and 5 or 16 and 6.

16 Q. How many 16 week abortions have you  
17 performed in your career, your best estimate?

18 A. My best estimate would probably be about  
19 40 or 50. And you said in my career. Right?  
20 Yeah, 40 or 50.

21 Q. And is there something about 16 weeks  
22 that you use as your cutoff in performing  
23 abortions?

24 A. My own -- I mean, my own comfort level

1 with my skill set.

2 Q. And how many second term abortions have  
3 you performed in your career, your best estimate?

4 A. Is that the same question that you asked  
5 me before or is that different?

6 MR. HENRY: The other one was residency.  
7 Now he's asking career.

8 THE WITNESS: No, because when you  
9 asked --

10 BY MR. PHILLIPS:

11 Q. Oh, I think you're right. I think  
12 you're right.

13 A. Yeah, sorry.

14 Q. So I think you said you performed about  
15 50 second term abortions in your career?

16 A. Yeah, but that's not including my  
17 residency then.

18 Q. Right. Okay. So how many total second  
19 term abortions have you performed in your career,  
20 best estimate?

21 A. Well, then probably the 50 plus the 30  
22 would be about 80.

23 Q. How many uterine perforations have you  
24 had with the second term abortions you've

1 performed over the course of your career?

2 A. I only have one known -- one perforation  
3 and it -- that I guessed occurred.

4 Q. Did you ever -- When was that, by the  
5 way?

6 A. It was my third year of residency.

7 Q. Did you ever confirm in that one  
8 perforation that you presumed was a uterine  
9 perforation, did you ever confirm whether or not  
10 it was in fact?

11 A. It was never confirmed to be a  
12 perforation.

13 Q. Okay. To this day, do you know whether  
14 or not that one case that you thought may have  
15 been a uterine perforation in your third year of  
16 your residency was not or was a perforation?

17 A. I have no way of -- There was nothing  
18 done at the time to confirm whether a perforation  
19 was done. So there was no hysteroscopy done.

20 Q. So at least that one event that you  
21 thought may have been a uterine perforation your  
22 third year of residency, you don't know whether in  
23 fact there was a perforation or not. Correct?

24 A. Correct.

1 Q. Okay. What was it that led you to  
2 believe that there could have been a uterine  
3 perforation in the second term abortion in your  
4 third year of residency? Was it excessive  
5 bleeding?

6 A. Well, you asked if I had a perforation.  
7 I don't think it was a second term abortion. I  
8 think she was actually approximately 11 or 12  
9 weeks. So she wasn't beyond the 13 week  
10 gestation.

11 Q. Okay. So let's go back to my question.  
12 Do -- Have you ever had a uterine perforation,  
13 either suspected or confirmed --

14 A. Suspected. Yes.

15 Q. Hang on, hang on, hang on.

16 MR. HENRY: Wait. Let him finish.

17 THE WITNESS: How do I know when you're  
18 -- Okay.

19 MR. PHILLIPS: I talk slow. I'm older  
20 than you.

21 THE WITNESS: I don't know about that.

22 MR. PHILLIPS: I promise you I'm older  
23 than you.

24 BY MR. PHILLIPS:

1 Q. Have you ever had a suspected or  
2 confirmed uterine perforation in a second term  
3 abortion?

4 A. Not to my knowledge.

5 Q. And how many uterine perforations have  
6 you suspected or confirmed in a first term  
7 abortion that you've performed?

8 A. The one that I mentioned to you would be  
9 a first trimester procedure, where my attending  
10 who was supervising me suspected I had perforated.

11 Q. And that was the third year of  
12 residency?

13 A. Correct.

14 Q. And that's the one that you never  
15 confirmed whether it was or was not a perforation?

16 A. It was never confirmed by anyone.  
17 Correct.

18 Q. Whether it was or was not?

19 A. Correct.

20 Q. What has been your complication rate for  
21 first term abortions over the course of your  
22 career?

23 A. I think low. Complications can  
24 include --

1 Q. I just want to know --

2 A. The percent?

3 Q. We'll talk about what complications  
4 include in a minute --

5 A. Oh.

6 Q. -- but what is your complication rate  
7 following first term abortion throughout the  
8 course of your career?

9 A. I'd have to guess less than 1 percent.

10 Q. How much less than 1 percent?

11 MR. HENRY: If you could estimate beyond  
12 that.

13 BY MR. PHILLIPS:

14 Q. Your best estimate. Well, let's try it  
15 this way. How many first term abortions have you  
16 performed in your career?

17 A. An estimate is probably about 12,000.

18 Q. And in the 12,000 first term abortions  
19 that you've performed, how many of those, best  
20 estimate, best range, have you had a complication  
21 following the procedure or during the procedure?

22 A. I can only think of one or two, so --

23 Q. And what were those complications?

24 A. I had one patient who had persistent

1     bleeding.

2           Q.     And did you ever determine why?

3           A.     No.

4           Q.     And how long did that patient bleed for?

5           A.     The bleeding was controlled by the time  
6     the paramedics came and I transferred her to the  
7     hospital.

8           Q.     Now, the one case of abnormal bleeding  
9     that you had following a first term abortion, of  
10    your two cases with complications, did you ever  
11    determine what the source of that abnormal  
12    bleeding was?

13          A.     No.

14          Q.     Did you ever come up with any suspected  
15    source of the abnormal bleeding in that one case  
16    of excessive bleeding following a first term  
17    abortion?

18          A.     After talking with the physician who  
19    admitted her and took care of her, it was -- The  
20    speculations were either uterine atony, that her  
21    uterus just hadn't clamped down, or if she had had  
22    either an intrauterine septum or polyp, something  
23    that had gotten avulsed at the time of evacuation.

24          Q.     The second case that you recall of the



1 two cases of complications that you've had either  
2 during or after a abortion, what was the  
3 complication?

4 A. I only remember having a conversation  
5 with the emergency room. You asked about  
6 procedural complications, but that one I didn't  
7 transfer the patient, so I don't have as strong of  
8 a recollection.

9 Q. Do you have any idea what the  
10 complication was in that second case of the two  
11 that you've had with complications following  
12 abortions?

13 A. Can I ask you a question to clarify? If  
14 -- if I have to stop a procedure and can't  
15 continue, is that a complication? For example --

16 Q. Do you consider that a complication?

17 A. Sure, because it -- because it's out of  
18 the norm. So I did have one patient where I could  
19 not dilate her cervix. I could not dilate her  
20 cervix.

21 Q. Does that cover the two complications  
22 you had?

23 A. Yeah.

24 Q. So in the 12,000 or so abortions that

1 you've performed, you've had two complications  
2 over the course of your career, one was  
3 uncontrolled bleeding and you never found out the  
4 source. That patient was transferred to a  
5 hospital, and then the second patient was that you  
6 couldn't dilate her cervix?

7 MR. HENRY: Let me object. She didn't  
8 use the term uncontrolled. She used the term  
9 persistent.

10 BY MR. PHILLIPS:

11 Q. Okay. Fine.

12 A. And when the patient was -- When that  
13 patient was transferred, the bleeding had ceased.

14 Q. Okay. So the two complications that  
15 you've had following all the abortions you've  
16 performed, one would be persistent bleeding, and  
17 the other one was that you couldn't dilate the  
18 cervix?

19 A. Yes. Yes. Sorry.

20 Q. Anything else?

21 A. I don't recall anything else over the 11  
22 years.

23 Q. Have you ever personally spoke to a  
24 physician who has had a perforated uterus with a

1 first term abortion?

2 A. Yes.

3 Q. How many doctors?

4 A. Have I spoken with or -- I can think of  
5 at least four -- five physicians that I have  
6 spoken with personally who have perforated in the  
7 first trimester.

8 Q. The five or so physicians that you have  
9 spoken to personally with regard to the fact that  
10 they had perforations in the first semester --  
11 first trimester of abortions, did you ever get  
12 into any details with them about how the  
13 perforations occurred, the -- the -- whether or  
14 not the anatomy in the woman was normal or  
15 abnormal or any of the details, or was it simply  
16 I've had these perforations?

17 A. I've had these perforations. And -- and  
18 really --

19 Q. Okay, hang on. Hang on.

20 A. Oh, I just want to clarify.

21 Q. Was that it? What I want to know is the  
22 five or so doctors that you've talked to over the  
23 course of your career who have personally  
24 experienced perforations in first term abortions,

1 other than the fact that they told you they had  
2 perforations, they didn't get into any details as  
3 to how it occurred, why it occurred, whether the  
4 women had abnormal anatomy or not. Is that  
5 correct?

6 A. No, I think more we talked about the  
7 care that occurred.

8 Q. Okay. Just the fact that there was a  
9 perforation that occurred?

10 A. Yeah.

11 Q. Okay. But did the doctors ever give you  
12 any details as to how or why the perforations  
13 occurred or the underlying conditions of the  
14 ladies?

15 A. I'm not sure I understand your question.

16 Q. Well, what I'm trying to get at is the  
17 five or so doctors that told you they had  
18 personally had a perforation in their career  
19 performing a first term abortion, all I want to  
20 know is did they get into the reasons as to why  
21 the perforations occurred? Did they have an  
22 explanation or is it just simply I've had a  
23 perforation?

24 A. I guess I would have to agree with the

1 second statement.

2 Q. I've just had a perforation?

3 A. That they had perforated the uterus.

4 Yeah.

5 Q. Okay. How many physicians have you  
6 personally spoken to that have perforated a uterus  
7 in a second trimester abortion?

8 A. That was what I was trying to clarify is  
9 I didn't always -- The people that I've spoken  
10 with who had a perforation, it's more just that  
11 they've had a perforation, and I don't always know  
12 either how pregnant the woman was or even -- if  
13 for some of the cases I know whether the woman was  
14 pregnant or not because perforations can occur  
15 anytime you're in the uterus, and so, but I don't  
16 necessarily know the gestational age. For some of  
17 the physicians I know the gestational age.

18 Q. So it's fair to say when you say you've  
19 personally talked to four or five physicians who  
20 have personally experienced a uterine perforation  
21 following an abortion, that includes first term  
22 and second term abortions?

23 A. Correct.

24 Q. Okay. You've been performing abortions

1 since what year?

2 A. 2000.

3 Q. Okay.

4 A. But I was still in residency then for  
5 part of it.

6 Q. Of the 12,000 or so abortions that  
7 you've performed, has it been pretty consistent  
8 ever year, the same amount or similar amount, or  
9 have the number of abortions you've performed  
10 risen over the years or decreased over the years.  
11 How would you describe it?

12 MR. HENRY: Steve, that number was the -  
13 - for first term?

14 THE WITNESS: For the first trimester.

15 MR. HENRY: That's not total.

16 THE WITNESS: The 12,000 was about first  
17 trimester.

18 MR. PHILLIPS: Yeah, but she's only got  
19 like -- like 40 after that, so that's why I say  
20 around 12,000.

21 MR. HENRY: Right. Right. Yeah, I just  
22 want to make sure you -- you understood that.

23 Yeah.

24 BY MR. PHILLIPS:

1 Q. So when you say you've performed  
2 approximately 12,000 first term abortions, you've  
3 only performed about 30 or 40 second term  
4 abortions. Right?

5 A. No, because the second trimester starts  
6 at 13 weeks.

7 Q. Well, how many abortions total have you  
8 performed in your career?

9 A. Probably about 15,000.

10 Q. Oh, okay. I missed that.

11 A. Well, because for a lot of people  
12 there's the confusion, and even in residency, and  
13 this is what differs a little bit in abortion care  
14 versus obstetric care is that the 13 week mark,  
15 which is -- delineates the first and second  
16 trimester for some isn't as significant in  
17 abortion care.

18 Q. Alright. Ms. Reaves was 16 weeks.  
19 Right?

20 A. Sixteen and 5, 16 and 2 to 16 and 5  
21 based on the ultrasound.

22 Q. Alright. How many abortions have you  
23 performed post 16 weeks?

24 A. Post 16 and 6/7?

1 Q. No, just 16 weeks how many abortions  
2 have you performed?

3 A. Well, considering that the ultrasound  
4 after 13 weeks has a two week variation of  
5 accuracy, one to two week variation of accuracy, I  
6 would -- I guess probably -- I am really  
7 estimating here, but I'd guess probably about 200,  
8 250.

9 Q. How many 15 to 16 week abortions have  
10 you performed?

11 A. I'd say 150, 200.

12 Q. And how many 14 to 15 week abortions  
13 have you performed?

14 A. Probably 1 or 2,000, and forgive me if  
15 the numbers aren't going to exactly add up, but I  
16 think I'm giving you the idea of what you want.

17 Q. Now, are you required either by Planned  
18 Parenthood or any governmental agency to keep  
19 track of how many abortions you perform?

20 A. Not required. No.

21 Q. Do you keep track of how many abortions  
22 you perform?

23 A. I keep a general number in my mind.  
24 Yeah.



1 Q. You have a clinic called All Women's  
2 Care or something like that?

3 A. All Women's Health.

4 Q. And do you perform any abortions at All  
5 Women's Health?

6 A. I do.

7 Q. And how long have you been performing  
8 abortions at All Women's Health in Illinois?

9 A. Since we opened June 21st, I think 2006  
10 or 7. I get confused.

11 Q. Alright. Do you have insurance for All  
12 Women's Health when you perform -- Hang on.

13 Do you have insurance for All Women's  
14 Health when you're performing abortions at your  
15 facility, All Women's Health?

16 A. I do.

17 Q. And who is that insurance through?

18 A. The -- I'm going to call it a liaison,  
19 but the people who help me is a firm called  
20 Brunni-Colbath, and I think it's Avco. I -- For  
21 whatever reason, that's eluding me right now.

22 Q. Okay. Are you -- are you the sole owner  
23 of All Women's Health Care?

24 A. I am.

1 Q. Do you have employees?

2 A. I do.

3 Q. How many?

4 A. At this moment I have three employees.

5 Q. Are there any other physicians employed  
6 by All Women's Health Care?

7 A. I do not have anyone employed by me, but  
8 I have a contract with University of Chicago for  
9 their attendings to come to my office to teach.

10 Q. And what do the attendings at University  
11 of Chicago teach at All Women's Health Care?

12 A. Women's Health Care.

13 Q. Do they teach anything to do with  
14 abortion?

15 A. Yes, that's as a part of Women's Health  
16 Care.

17 Q. Do you -- Strike that.

18 Have you given presentations in the past  
19 on abortion?

20 A. Yes.

21 Q. And when's the last time you've given a  
22 presentation on abortion?

23 A. Last fall I spoke at the Midwest  
24 conference for family medicine.

1 Q. And was that related to abortion?

2 A. Yes, it was -- it was a talk and a  
3 hands-on training workshop.

4 Q. Okay. And what was the hands-on  
5 training workshop and talk about last fall at the  
6 family medicine conference?

7 A. If I recall correctly, it was manual  
8 vacuum aspirator in first trimester abortion.

9 Q. Have you -- Strike that.  
10 You've given slide show presentations  
11 and handouts related to abortion at these seminars  
12 you've spoken about, have you not?

13 A. I'm sorry, can you repeat that?

14 Q. Sure. At the seminars that you've spoken  
15 about abortion, you've had handouts as well as  
16 slide show presentations, have you not?

17 A. Usually I just have a Power Point, and  
18 then I get that to whatever program, and if they  
19 want to print it out, they can hand it out.

20 Q. Do you still have that Power Point  
21 presentation that you've done on abortion?

22 A. I have many Power Point presentations.  
23 The one that I gave that time was through the  
24 Midwest Access Project, so it's their Power Point.

1 Q. What -- How many Power Point  
2 presentations do you have related to abortions?

3 A. I would have to guess.

4 Q. What's your best estimate?

5 A. Are you including miscarriage  
6 management?

7 Q. No, we'll exclude miscarriage  
8 management.

9 A. How many Power Point presentations, or  
10 how many presentations have I given?

11 Q. No, how many -- how many Power Point  
12 presentations do you have?

13 A. That I created myself?

14 Q. Let's start there. How many Power Point  
15 presentations do you have that you've created  
16 yourself related to abortions and how to perform  
17 an abortion?

18 A. If I would guess, I'd probably say only  
19 three or four.

20 Q. Okay. Is that your best estimate, three  
21 or four, rather than a guess?

22 A. No, I'm really guessing.

23 Q. Okay. But you know you have some.  
24 Right?

1           A.    I know I have some.

2           Q.    How many do you know you have, at least  
3 two or three?

4           A.    I really am guessing. I would assume  
5 that I have two or three, but I don't know if  
6 they're the same one.

7           Q.    Okay. How many do you know you have, as  
8 far as presentations you've given related to  
9 abortion, Power Point presentations?

10          A.    Related to abortion care in general?

11          Q.    Yes, and how to perform abortions.

12          A.    So you're including a medical abortion?

13          Q.    I'm including all abortions. What I  
14 want to get at is how many Power Point  
15 presentations do you have related to how to  
16 perform an abortion.

17          A.    I'm still guessing two to three.

18          Q.    Okay. And do you have those at your  
19 home or at your office?

20          A.    I'm pretty sure they're in my office  
21 somewhere, and I'm pretty sure they're in my home  
22 somewhere.

23          Q.    And that's your office in Chicago?

24          A.    Correct.

1 Q. How many handouts do you have related to  
2 abortion services? Strike that. How many  
3 handouts have you put together or papers or  
4 presentations, that is written materials, do you  
5 have related to how to perform an abortion?

6 A. Besides the copy of the Power Point?

7 Q. Yes.

8 A. None.

9 Q. I noticed you also have a clinic or an  
10 address in the State of Washington?

11 A. I did. I sold it in August.

12 Q. So you are no longer practicing in  
13 Washington. Is that right?

14 A. I have a Washington State license so I  
15 can practice there.

16 Q. But you're not?

17 A. I'm not practicing there now.

18 Q. How long have you quit practicing in the  
19 State of Washington?

20 A. When I sold the practice in August of  
21 2012.

22 Q. And how long were you -- did you have  
23 the dual practice in the State of Washington and  
24 the State of Illinois?

1           A.    I had Washington for one more year than  
2 Chicago, so that's why I think Washington was 2006  
3 and then I started Chicago in 2007.

4           Q.    And why did you start a practice here in  
5 Chicago in 2007?

6           A.    Because I wanted to move home. I wanted  
7 my children to live in Chicago. I was coming from  
8 the Northwest which has really good abortion care  
9 and abortion training, and that was not existent  
10 in Chicago when I first got here.

11          Q.    How many kids do you have?

12          A.    I have two.

13          Q.    When you say Chicago didn't have good  
14 abortion care in 2006 or 2007, what do you mean by  
15 that?

16          A.    I mean that there was not training for  
17 physicians. There was no -- There was not a well-  
18 established training curriculum for future  
19 providers.

20          Q.    How do you know that?

21          A.    Because I tried teaching at one of them  
22 and they weren't existent. At the time we had a  
23 consortium, particularly of family medicine  
24 doctors who were trying to get a training program

1 going and that was when there was a big move for  
2 fellowships and integrated residency training, so  
3 none of that had existed before. And, in fact,  
4 right around 2000 -- somewhere between 2006-2007,  
5 we had a collaborative meeting of a lot of family  
6 medicine people who -- who specifically work with  
7 women's health care to figure out how to get this  
8 going.

9 Q. Do you hold yourself out as a specialist  
10 in abortion services?

11 A. Do I? Abortion care is something that I  
12 am skilled at and is a large part of my practice.

13 Q. How much of your practice is abortion  
14 services, percentagewise?

15 A. I think right now it's probably 75  
16 percent, maybe 60 percent.

17 Q. Do you know of any family practice  
18 physicians who perform more abortion services than  
19 you do? Do you personally know any?

20 A. I know a lot of family medicine doctors  
21 who provide abortions. I just don't know their  
22 numbers.

23 Q. Do you know any family practice  
24 physician that performs more abortions than you



1 do?

2 A. I don't know any other physicians'  
3 numbers.

4 Q. Do you consider yourself an expert in  
5 abortion services?

6 A. I consider myself more knowledgeable  
7 than most family medicine and/or obstetric  
8 physicians in abortion care.

9 Q. But my question is do you consider  
10 yourself an expert in abortion services? I would  
11 imagine you do after having 12,000 abortions under  
12 your belt.

13 A. I was raised that you're not allowed to  
14 call yourself an expert. Only other people can  
15 call you an expert.

16 Q. Okay. Do you consider yourself to be a  
17 specialist in abortion and abortion services?

18 A. I consider myself to be a specialist in  
19 women's health.

20 Q. Including abortion services?

21 A. The full spectrum of women's health,  
22 including abortion services.

23 Q. Do you consider yourself to be as well-  
24 trained as a obstetrician/gynecologist with regard

1 to abortion?

2 A. I consider myself better trained than  
3 most obstetrician/gynecologists in abortion care  
4 because it's not a part of residency training.

5 Q. Abortions are not a part of residency  
6 training anymore?

7 A. No.

8 Q. When did that stop?

9 A. Well, no, now they are. But ACOG just  
10 made it part of their -- the RRC requirement, the  
11 residency requirement. It's only recently that  
12 abortion care has to be included, and it's why a  
13 lot of residencies are now scrambling to try and  
14 find training.

15 Q. Well, how long has it been since --  
16 since residencies included abortion training in  
17 obstetrics and gynecology?

18 A. I'm not an OB/Gyn, but I remember in the  
19 last few years a lot of conversation about the  
20 RRC.

21 Q. What I'm trying to get at is, is it  
22 sounds like there was a window of time here, your  
23 understanding, that obstetrics and gynecology  
24 residencies weren't training people to do

1 abortions. Do you know when that window of time  
2 is in your opinion?

3 A. Well, the window of time is whenever  
4 they initiated it in the last few years and  
5 before. So abortion care has never been a part of  
6 residency training.

7 Q. Well, where did  
8 obstetrician/gynecologists get training in  
9 abortions prior to the last couple of years when  
10 it began to be involved in residency training?

11 A. I would suggest that the OB/Gynes are  
12 not trained in abortion care.

13 Q. My question is the ones -- the OB/Gynes  
14 that did perform abortions, and I imagine you've  
15 met a lot of OB/Gynes over the course of the years  
16 who have done abortions, what's your understanding  
17 of where they got their training in abortions  
18 prior to the last couple of years when the  
19 residencies included it?

20 A. I think either, and again I'm  
21 speculating, but I think either they had to seek  
22 out the training themselves or like most  
23 residencies you can do emphases in the senior part  
24 of your residency or in your practice and then

1 become more expert. There are organizations that  
2 allow for trainings, if that's something that  
3 someone wants.

4 Q. Mrs. Reaves -- Strike that. Ms. Reaves  
5 had a dilatation and evacuation abortion.  
6 Correct?

7 A. Correct.

8 Q. In the second term abortions that you've  
9 performed, have you ever performed any that were  
10 not a dilatation and evacuation as such?

11 A. What's the other option?

12 Q. My understanding is that there's  
13 instrumentation that can be used.

14 A. Oh, curetting. You mean the curette.  
15 Oh, so ask the question again, I'm sorry.

16 Q. Have you ever performed a second term  
17 abortion that was not done with a dilatation and  
18 evacuation with suction?

19 A. Off hand, no, I can't recall any.

20 Q. I mean, the catheter that you used for  
21 Mrs. Reaves, that's the evacuation, that's  
22 suction. Right?

23 A. What is it that you're referring to as a  
24 catheter?

1 Q. Well, I read that there was a 16 --

2 MR. HENRY: Cannula?

3 THE WITNESS: Cannula.

4 BY MR. PHILIPS:

5 Q. Cannula, I'm sorry.

6 A. Cannula.

7 Q. Right, cannula.

8 A. Oh, cannula. Okay.

9 Q. Okay. Have you ever performed a 16 week  
10 abortion without doing it by use of the cannula  
11 and the suction?

12 A. Without that at all. No. No, that is -  
13 - No.

14 Q. Okay. Now, are there any other owners  
15 of All Women's Health in the Chicago office?

16 A. No, as I mentioned before, I'm the sole  
17 owner.

18 Q. And were you the sole owner in the  
19 Tacoma, Washington, All Women's Health Center?

20 A. Yes, I was.

21 Q. Have you ever applied for privileges at  
22 any hospital and been denied?

23 A. Not that I can recall.

24 Q. Have you ever applied for privileges at

1 a hospital and been told withdraw your  
2 application, we're not going to give you  
3 privileges or words to that effect?

4 A. Not that I can recall.

5 Q. Have your privileges to practice at any  
6 hospital ever been restricted?

7 A. When I apply for privileges, you have to  
8 apply for specific privileges, and the privileges  
9 that I've applied for I've always gotten.

10 Q. Have you ever applied -- Strike that.

11 Have you ever been on staff at any  
12 hospital and your privileges have been revoked?

13 A. My privileges have never been revoked.  
14 There was a lapse, and I don't even know if this  
15 would count necessarily, just as far as the  
16 appropriate paperwork.

17 Q. Okay. Have your privileges at any  
18 hospital ever been revoked because of any patient  
19 care issues?

20 A. Not to my knowledge.

21 Q. Has your license to practice medicine  
22 ever been revoked in any state?

23 A. Not revoked and not to my knowledge.

24 Q. Okay. Have you ever had any licensing

1 issues in any state?

2 A. I've let licenses lapse where I don't  
3 renew them.

4 Q. Okay. Anything else?

5 A. Not to my knowledge.

6 Q. Have you ever been disciplined by any  
7 hospital or any governmental agency or any board  
8 or anything like that, any association?

9 A. Does that include fines?

10 Q. Sure.

11 A. I might -- My lab license might be fined  
12 at All Women's Health right now.

13 Q. When you say right now your lab license  
14 might be fined, what do you mean?

15 A. It's pending. I'm waiting to hear from  
16 CMS.

17 Q. Was there an issue with your lab at All  
18 Women's Health?

19 A. When they did the semiannual  
20 credentialing.

21 Q. What did they find?

22 A. They found that -- They found a number  
23 of things. One, there was an immediate jeopardy  
24 for not doing a quality control, and what's the

1 other thing they found -- I think they found that  
2 I hadn't proven the education or training of my  
3 staff. So it just wasn't in writing, but again  
4 this is all pending, so I'm still trying to figure  
5 that out.

6 Q. When did that start, that issue with  
7 your license?

8 A. We -- They came -- Well, it's a CLIA  
9 license, it's a lab license. So it's actually not  
10 me. It's my clinic.

11 Q. When did the clinic license issue start?

12 A. We were inspected June 6th, and we heard  
13 from them -- This is part of the question,  
14 sometime around July 3rd or 5th.

15 Q. Is that this year?

16 A. Yes.

17 Q. Prior to this issue with your clinical -  
18 - with your clinic license this year, any other  
19 issues with regard to licensing, either -- either  
20 corporatewise or personally?

21 A. Not that I can recall.

22 Q. Have you ever been given any honors or  
23 awards?

24 A. Yes.



1 Q. What honors or awards have you received?

2 A. I was a bronze tablet at University of  
3 Illinois.

4 Q. Okay. I see that there's four  
5 university honors listed on your CV.

6 A. Uh-huh.

7 Q. Any other honors or awards since those  
8 university honors?

9 A. I've gotten honors within different  
10 hospitals from -- They have programs where a  
11 patient can award you something for good  
12 performance but it's not an official -- officially  
13 recognized.

14 Q. Under presentations, it's got update on  
15 medical abortion performed 2008. Do you see that?

16 A. Mm-hmm.

17 Q. Do you still have that?

18 A. Do I have a copy of it?

19 Q. Yes.

20 A. I don't know.

21 Q. Spring into action, March of 2006. Do  
22 you still have a copy of that?

23 A. That was I was a panelist so there's no  
24 copy.

1 Q. The path to becoming an abortion  
2 provider, do you still have any materials related  
3 to that?

4 A. That again I was a panelist.

5 Q. How about on clinical presentations and  
6 publications, which of these do you still have?

7 MR. HENRY: You still have some type of  
8 PowerPoint?

9 BY MR. PHILLIPS:

10 A. Materials. Yeah, or materials.

11 Q. Do I have it or is it documented?

12 A. Well, first question, which of these  
13 materials on clinical presentations and  
14 publications do you have, whether on PowerPoint or  
15 in writing or that are on your computer that you  
16 can print out?

17 A. Yeah, I'm pretty sure I still have the  
18 premenstrual syndrome -- premenstrual syndrome,  
19 cultural aspects of women's health. Those are the  
20 only ones that I'm really certain that I have.

21 Q. Okay. And these are separate and apart  
22 from those abortion presentations, those two or  
23 three that you told me about earlier. Correct?

24 A. Because those I was a panelist. Yeah.

1 Q. Okay. The two to three that you have  
2 the materials for at your office or at your home  
3 or both, those are not listed on this clinical  
4 presentations, publications, committees, and  
5 research area. Correct?

6 A. Correct.

7 Q. Okay. Have you done any first term  
8 abortions -- Strike that.

9 How many of the first term abortions  
10 that you've done have been done by the suction  
11 method, like was used in Ms. Reaves case?

12 Q. For first trimester procedures where  
13 when the vacuum aspiration can either be done with  
14 an electric vacuum or a manual vacuum, and in fact  
15 at any gestation you can use a manual vacuum, but  
16 if you put both of those together as a vacuum  
17 aspiration, all of the first trimester are vacuum  
18 aspiration.

19 Q. Okay. So literally every abortion that  
20 you've performed is a vacuum aspiration.

21 A. Correct.

22 Q. Okay. So you don't scrape the uterus  
23 and scrape the fetus before you do the aspiration  
24 in the abortions you perform?

1           A.    The word you're referring to is  
2    curettng, and no I do not curette.  Curettng is  
3    historically how abortions were done prior to  
4    having vacuum or the suction rather, and then once  
5    suction came around, and I don't know the timing  
6    of this, now some physicians will do aspirating  
7    and curettng.  When I was trained, I was trained  
8    in how to curette, but my standard of care is not  
9    to curette.

10          Q.    So of the 12,000 abortions -- 12,000 or  
11    so abortions you've performed, all of them have  
12    been suction aspiration without curettng before.  
13    Correct?

14          A.    Vacuum aspiration for the first  
15    trimester is correct.

16          Q.    Okay.  The same with the second  
17    trimester.  Right?

18          A.    In the second trimester, I have curetted  
19    a few times.

20          Q.    How many times -- Strike that.

21                    How many second trimester abortions have  
22    you performed where you curetted the fetus before  
23    you did the vacuum aspiration?

24                   MR. HENRY:  I'm just objecting.  She

1 didn't say it was before.

2 THE WITNESS: Yeah, I've never curetted  
3 a fetus. I've curetted the uterus.

4 BY MR. PHILLIPS:

5 Q. Okay. Alright. How many second term  
6 abortions have you performed where you curetted  
7 the uterus before you did the vacuum aspiration?

8 A. Never.

9 Q. Okay. Looking at Exhibit No. 3.

10 A. Thank you.

11 Q. Does Exhibit No. 3 accurately depict how  
12 a first or second term abortion is performed,  
13 generally?

14 A. Generally. Yeah, but the cannula's not  
15 in the uterus.

16 Q. Okay, where is the cannula?

17 A. It's in the vagina.

18 Q. Okay. So other than the cannula being a  
19 bit too close to the uterus in Exhibit No. 3,  
20 Exhibit 3 is accurate, generally?

21 A. Well, no, the other thing is this  
22 cannula looks like it's metal, which is  
23 historically what was used, and I've never really  
24 even seen a metal cannula.

1 Q. Anything else?

2 A. I can't tell the way the tenaculum -- I  
3 can't tell where the tenaculum's applied.

4 Q. Okay. May I see that?

5 A. Sure.

6 Q. When you perform a second or first year.  
7 Strike that. When you -- Strike that.

8 When you perform a first or second  
9 trimester abortion, do you use a speculum on the  
10 anterior and posterior of the vagina so you can  
11 visualize in?

12 A. Yeah, I place a speculum.

13 Q. Two speculums. Right?

14 A. No, one.

15 Q. One. On the anterior or posterior or  
16 both?

17 A. Well, the speculum is one entity, and  
18 when you open it, usually it will be anterior and  
19 posterior but depending on the anatomy of the  
20 vagina, it can swivel a little bit depending on  
21 someone's anatomy.

22 Q. Okay. So you've used a speculum  
23 routinely throughout the course of your career  
24 when you're performing abortions?

1           A.    Yes, routinely throughout my entire  
2    career.

3           Q.    Okay. And when you use a speculum, are  
4    you able to visualize the opening of the cervix?

5           A.    The cervical os? The goal of placing a  
6    speculum is to visualize the cervical os.

7           Q.    So when you put the speculum in before  
8    you're going to perform an abortion, that's so you  
9    can see the opening of the cervix. Right?

10          A.    Correct.

11          Q.    And then you dilate the cervix. Right?

12          A.    No.

13          Q.    You dilate the cervix before you put the  
14    speculum in?

15          A.    No, but I -- I do dilate after, but  
16    there are a few steps in between.

17          Q.    Okay. No, I'm -- I'm not getting the  
18    steps. I just want to know when you -- Before --  
19    Strike that.

20                    At some point before you dilate the  
21    cervix, you put a speculum in so you can see.

22          A.    Correct.

23          Q.    Now, when you put the cannula in to  
24    aspirate the fetus, what do -- does the cannula go

1 all the way past the opening of the cervix into  
2 the uterus?

3 A. So depending on the gestational age and  
4 the cannula you're using, the goal is to get  
5 through the endocervical canal. So the cervix is  
6 approximately 4 centimeters, and you need to get  
7 through the endocervical canal in order to get to  
8 the body of the uterus.

9 Q. Okay, let me simplify this for those of  
10 us that have never performed an abortion. Looking  
11 at Exhibit 4, where I've circled in blue pen --

12 A. Exhibit 4. Okay.

13 Q. The exhibit that I've circled in Exhibit  
14 4 in blue pen, is that generally how far the  
15 cannula goes in to aspirate the uterus and the  
16 fetus?

17 A. Again, depending on the gestation,  
18 usually you'll feel for the fundus first. So the  
19 fundus is the end, the top curved part, and so at  
20 first you'll go to the fundus to know in fact that  
21 you are in the uterus.

22 Q. Can you mark the fundus, please?

23 A. Sure.

24 Q. Okay.



1 A. Oh, can I keep this, though?

2 Q. I'm going to ask you -- Well, okay,  
3 you've just marked fundus on Exhibit 4. Right?

4 A. Mm-hmm. Yes, yes. Sorry.

5 Q. So when the cannula goes in past the  
6 cervix, you try to put it in so you can feel the  
7 fundus which is the back part of the uterus. Is  
8 that correct?

9 A. Right. But what's important to remember  
10 is you have to get through the endocervical or the  
11 -- the internal os. So there's an external os,  
12 which is the part that you see. You go through  
13 the endocervical canal, and then the internal os,  
14 where the cervico-uterine junction is, is what you  
15 want to be sure that you get through.

16 Q. Okay. Os means opening?

17 A. Mouth. It means mouth in Latin.

18 Q. Okay. Alright. So there's an external  
19 opening to the cervix. There's an internal  
20 opening to the cervix.

21 A. Correct.

22 Q. Now, when the cannula goes in, Exhibit  
23 4, you want to push it back to the fundus, which  
24 is the furthest part of the uterus. Is that

1 correct?

2 A. What you want to do is prior to  
3 releasing any pressure, you want to make sure you  
4 are within the uterus. And one of the ways that  
5 you know you're in the uterus is if you reach an  
6 end point, the fundus, because if you are out of  
7 the uterus, then there won't be an end point on  
8 the cannula.

9 Q. Okay. And did you in fact insert the  
10 cannula to Ms. Reaves' fundus of her uterus?

11 A. At the -- When she was 16 weeks prior to  
12 evacuating the fetus? No, because the fundus is  
13 too far away at that point.

14 Q. How far in, using this diagram, did you  
15 insert the cannula in Ms. Reaves, or using Exhibit  
16 3?

17 A. Cannulas -- So the cannula has a 10 cm  
18 mark on it, and usually -- There's a -- a line on  
19 the cannula that will tell you when you're at 10  
20 centimeters, and I do not recall going beyond 10  
21 centimeters.

22 Q. So are you saying you don't recall and  
23 you may have, or you didn't go beyond 10  
24 centimeters or you just don't know in Ms. Reaves,

1 as far as the cannula being -- Let me start over.

2 You have a landmark on the cannula of 10  
3 centimeters. Right?

4 A. Right.

5 Q. And 10 centimeters is from the tip, and  
6 then there's a mark on the cannula. Right?

7 A. For -- for the rigid cannulas. Yes.

8 Q. Is that what you used for Ms. Reaves, a  
9 rigid cannula?

10 A. I used a rigid cannula at one point and  
11 a flexible cannula at one point. The flexibles  
12 have different centimeter marks, so you can see  
13 where you are from 5 centimeters to 10  
14 centimeters.

15 Q. Let's start -- Which cannula did you use  
16 on Ms. Reaves first, the flexible or the rigid?

17 A. The rigid.

18 Q. Okay. Now, the first cannula you used on  
19 Ms. Reaves, what are the markings on it?

20 A. There's just a demarcation that's about  
21 10 centimeters.

22 Q. So there's only one marking and that's  
23 10 centimeters on the first cannula you used with  
24 Ms. Reaves and that would be the hard one. Right?

1           A.    Rigid.  Correct.

2           Q.    Okay.  Now, on Ms. Reaves, do you recall  
3 whether or not you inserted the rigid cannula past  
4 10 cm or not?

5           A.    I do not recall, but I use that 10  
6 centimeter mark.  I'm aware of the 10 centimeter  
7 mark.  So I don't recall going beyond the 10  
8 centimeter mark.

9           Q.    Can you say you did not go beyond the 10  
10 centimeter mark or you just don't remember?

11          A.    I can say I don't recall.

12          Q.    Do you from time to time with a second  
13 trimester abortion go beyond 10 centimeters?

14          A.    If I'm beyond 10 centimeters, I won't  
15 have suction on.  So the idea and the way I was  
16 trained is the suctioning is the part that confers  
17 the most danger, and so until I'm absolutely sure  
18 I'm in the uterus, I don't have suction.  I have  
19 had cases where -- and, in fact, the case I  
20 mentioned before where I was beyond 10 centimeters  
21 but I didn't do any suction.

22                   MR. PHILLIPS:  Okay.  We got to change  
23 tapes.

24                   THE REPORTER:  This is the end of tape

1 number one. The time is 2:18 p.m., and the  
2 running length of this tape is 59 minutes and 58  
3 seconds.

4 (WHEREUPON, a videotape  
5 change was made.)

6 THE REPORTER: This is the beginning of  
7 tape number two. The time is 2:21 p.m. We're now  
8 back on the record.

9 BY MR. PHILLIPS:

10 Q. Prior to inserting the cannula on Ms.  
11 Reaves, did you insert any other instruments past  
12 her cervix?

13 A. Dilators.

14 Q. Okay, and how far past the cervix, the  
15 external opening, did you insert the dilators?

16 A. Past the external os probably about 5 or  
17 6 centimeters.

18 Q. Did the -- Are the dilators capable of  
19 perforating a uterus?

20 A. Yes.

21 Q. And if the dilators are not used  
22 properly, it certainly could perforate a uterus?

23 A. Correct.

24 Q. Is the cannula used incorrectly capable

1 of perforating a uterus?

2 A. Yes.

3 Q. Did you use a curette on Ms. Reaves  
4 during the first abortion procedure you did on her  
5 on 7/20/12?

6 A. I don't recall using a curette.

7 Q. Does the operative report or any record  
8 indicate whether or not you used a curette on Ms.  
9 Reaves in the first abortion procedure that you  
10 performed on July 20th?

11 A. It doesn't indicate that I curetted.

12 Q. Can you say you did not based on that  
13 report, or you just --

14 A. Yeah, I can.

15 Q. Okay. So you -- Based on the records in  
16 this case and your memory, you did not use a  
17 curette on Ms. Reaves in the first procedure you  
18 did on July 20th. Is that right?

19 A. I did not use a metal curette.

20 Q. Did you use any curette?

21 A. It's not officially a curette, but the 7  
22 -- the flexible cannulas have a special aperture.  
23 Instead of it being completely round, it's -- it's  
24 curved on one edge, specifically the size that I

1 used within a flat -- a flat portion, and so  
2 depending -- While it's not considered curetting,  
3 it has a curette function in some ways.

4 Q. While you were using the cannula with  
5 Ms. Reaves, were you able to see into her uterus  
6 with your naked eyes.

7 MR. HENRY: Steve, just real quick. Are  
8 we talking about the initial procedure?

9 MR. PHILLIPS: Yes.

10 MR. HENRY: And with the rigid cannula?

11 MR. PHILLIPS: Yes.

12 BY MR. PHILLIPS:

13 Q. With the first procedure, the rigid  
14 cannula, were you able to see into Ms. Reaves  
15 uterus?

16 A. No.

17 Q. Did you do the first procedure on July  
18 20, 2012, with the use of an ultrasound?

19 A. Not during the time of the first  
20 aspiration.

21 Q. And if you had, it would've been checked  
22 on the operative report. Right?

23 A. Correct. Yeah.

24 Q. What other instruments came in contact

1 with Ms. Reaves uterus in the first procedure on  
2 July 20, 2012, other than the rigid cannula and  
3 the dilators?

4 A. Speculum, tenaculum. I think it's a 22  
5 gauge needle that I use for anesthesia, ring  
6 forceps. Yeah.

7 Q. Are all of the instruments that you used  
8 in the first procedure on July 20, 2012, on Ms.  
9 Reaves capable of perforating her uterus if not  
10 used properly?

11 A. I would -- Yeah, I would assume so.  
12 Yeah.

13 Q. Now, at the end of the first procedure  
14 that you did on Ms. Reaves on July 20, 2012, is it  
15 fair to say that you did not notice any  
16 abnormalities or abnormal or excessive bleeding?

17 A. I think that's incorrect.

18 Q. Okay. Did you immediately notice?

19 A. No.

20 Q. Okay. That's what I'm getting at.  
21 Okay, you did the first aspiration, the first  
22 procedure on July 20, 2012. At the time you did  
23 the procedure, did you notice any abnormalities or  
24 unusual bleeding?



1 A. Not until I had removed the speculum.

2 Q. Okay. And what did you notice when you  
3 removed the speculum following the first procedure  
4 you did on July 20th?

5 A. Well, I removed the speculum and I was  
6 doing my paperwork, which is just lateral to the  
7 patient. And then when I was done with my  
8 paperwork and looked back, there seemed to be  
9 extra blood on the chuck?

10 Q. On the what?

11 A. It's a thing under someone's bottom to -  
12 - for to collect things.

13 Q. Okay. So between the time you removed  
14 the speculum and the time you were doing your  
15 paperwork and then you went back -- Strike that.

16 How long was it that it took you to do  
17 your paperwork after you removed the speculum that  
18 you looked down and saw a lot of blood?

19 A. It probably -- It wasn't a lot of blood,  
20 but it was probably about 5 minutes, and -- and  
21 there was blood on the chuck.

22 Q. More than you expected?

23 A. Enough that I went back to do a bimanual  
24 exam.

1 Q. Okay. Was the blood that you saw  
2 underneath Ms. Reaves bottom, after you did your  
3 paperwork, just after you finished the first  
4 procedure on July 20th, was that more than you  
5 expected or anticipated?

6 A. The amount on the chuck wasn't more, it  
7 was that there was still drops coming from her  
8 vagina, and that I wouldn't expect.

9 Q. Okay. How many times in your career had  
10 you still seen drops coming from a women's vagina  
11 following an abortion procedure like you did on  
12 Ms. Reaves?

13 A. Very often.

14 Q. So what was it about Ms. Reaves' drops  
15 from her vagina after you did your paperwork,  
16 after you did the first procedure, that caused you  
17 concern or that led you to want to investigate  
18 more?

19 A. I think because they were still dripping  
20 and I kept an eye on it. I put my hand on her  
21 fundus, and because -- You know, for a 16 week  
22 pregnancy you can feel -- I could feel the fundus,  
23 or I should have felt a pretty hard uterus, and I  
24 didn't feel that.

1 Q. What happened next?

2 A. If I recall, I put a lot of fundal  
3 pressure. It's called suprapubic massage, and I  
4 tend to use my fist just because of my height. So  
5 I gave suprapubic massage, didn't feel firming,  
6 and then put two fingers in her vagina to do a  
7 bimanual, so intra and extrauterine massage.

8 Q. How long did you do that?

9 A. I would be guessing, but I'd say  
10 probably about 1 or 2 minutes -- 30 seconds to 1  
11 minute.

12 Q. Between the time that you removed the  
13 speculum in the first procedure on July 20th and  
14 the time you started the second procedure on Ms.  
15 Reaves on July 20th, how long was that?

16 A. I think based on the chart notes we  
17 finished -- And just to clarify, there seems to be  
18 a little bit of difference in some of the  
19 calibrations of clocks. So we finished at about -  
20 - I think we left the room at about 1:07 according  
21 to one clock, and then we came back in at -- Let  
22 me see. We came back in the room -- Anesthesia  
23 started at 1502. So she was probably, which is  
24 3:02. So she was probably back in the room by

1 about 2:50 -- 2:40 -- 2:55 to -- Yeah, I'd say  
2 2:55.

3 Q. Okay. Look at page 28, please. Whose  
4 writing is on page 28? Would that be the LPN, R.  
5 Torres or Tarras?

6 A. So there's two different writings and,  
7 again, I don't know everyone's handwriting.

8 Q. Okay, let me ask you this. Your writing  
9 does not appear on page 28 of the Planned  
10 Parenthood records. Correct?

11 A. I don't see my writing.

12 Q. Okay. Now, at 1:41 bleeding is noted to  
13 be heavy?

14 A. Correct.

15 Q. And does your memory -- Is your memory  
16 the same or similar that at 1:41 Ms. Reaves had  
17 heavy bleeding?

18 A. My recollection is that the nurse came  
19 to tell me that she -- when she checked her pad  
20 she had heavy bleeding.

21 Q. Okay. And did you determine whether or  
22 not Ms. Reaves had heavy bleeding around 1:41 p.m.

23 A. Did I look at the pad myself?

24 Q. Did you come to the conclusion that Ms.

1 Reaves did indeed have heavy bleeding around 1:41?

2 A. At around 1:41 her pad was soaked.

3 Q. Okay. My question is did you yourself  
4 come to the conclusion that Ms. Reaves had heavy  
5 bleeding around that time?

6 A. I came to the conclusion that I needed  
7 to figure out if she was actively bleeding.

8 Q. Okay, that's not my question. Did you  
9 determine yourself whether or not Ms. Reaves had  
10 heavy bleeding at around 1:41?

11 A. I determined at that time that the pad  
12 that had been in her underwear for over half an  
13 hour was soaked. So over the course of that time  
14 she had had excessive bleeding.

15 Q. My question is heavy bleeding. Did you  
16 think it was heavy?

17 A. Over that 30 minute period she had more  
18 blood on her pad than I would've liked.

19 Q. Okay. You need to answer my question.  
20 Did you make a determination whether or not you  
21 felt Ms. Reaves at about 1:41 p.m. had heavy  
22 bleeding?

23 A. I determined at 1:41 that based on the  
24 nurse calling it heavy bleeding, I wanted to see

1 what the volume of bleeding was happening at that  
2 time.

3 MR. HENRY: He just wants to know  
4 whether you at that point decided heavy. If you  
5 didn't, then just tell him.

6 BY MR. PHILLIPS:

7 Q. And if you did, tell me that too.

8 A. I decided that I wanted to know.

9 Q. Okay. My question is did you personally  
10 determine whether or not you thought Ms. Reaves  
11 had heavy bleeding around 1:41 p.m. I want to  
12 know heavy bleeding.

13 A. I think if I have to answer your  
14 question how you're wording it, that at 1:41 there  
15 was more bleeding on her pad and I wanted to know  
16 if it was happening.

17 Q. No, you've told me that, but my question  
18 is different, and under the law I'm allowed to ask  
19 my questions and -- Okay?

20 A. Mm-hmm.

21 Q. And I've got a nurse or an LPN who says  
22 heavy bleeding.

23 A. Mm-hmm.

24 Q. Okay?

1 A. Mm-hmm.

2 Q. You need to answer my question, alright?  
3 Let's stick to my question. Strike that.

4 Did you yourself make a determination  
5 whether or not Ms. Reaves had heavy bleeding at  
6 1:41 or around that time?

7 A. Can you rephrase that because I'm  
8 answering it how I know it, and so one of the  
9 issues is --

10 MR. HENRY: If you can't answer his  
11 question as phrased, just say I can't answer it as  
12 phrased.

13 THE WITNESS: I can't answer it as  
14 phrased.

15 BY MR. PHILLIPS:

16 Q. Do you know what the nurse meant when  
17 she documented heavy bleeding at 1:41 p.m.?

18 A. The pad was saturated with blood.

19 Q. Did you ask her?

20 A. Yes.

21 Q. Okay. And she -- Did -- did the nurse  
22 tell you the pad is saturated with blood at 1:41  
23 p.m. or that the patient was having active heavy  
24 bleeding?

1 A. The pad was soaked. It was saturated.

2 Q. Did you ask the nurse around 1:41 p.m.  
3 whether or not Ms. Reaves was having active heavy  
4 bleeding?

5 A. Yeah. Yes. That's what I asked, was  
6 she still bleeding.

7 Q. And what did the nurse say?

8 A. I don't know.

9 Q. You don't know what the nurse said, or  
10 the nurse said I don't know if she's bleeding?

11 A. The nurse -- The nurse said I'm not sure  
12 if she's still actively bleeding.

13 Q. Now, at two o'clock, the nurse wrote  
14 that there's clot. Right?

15 A. Yes.

16 Q. And did the nurse tell you at two  
17 o'clock that there's clot with regard to Ms.  
18 Reaves?

19 A. Yes.

20 Q. Was the clot actually coming out of her  
21 vagina at two o'clock?

22 A. Yes.

23 Q. And did you do an examination of Ms.  
24 Reaves --



1 A. Yes.

2 Q. -- at around two o'clock?

3 A. Yes.

4 Q. And did you notice whether or not there  
5 was clot at two o'clock for Ms. Reaves?

6 A. I don't recall the exact time, but when  
7 I was able to evaluate Ms. Reaves, there was blood  
8 in her vagina.

9 Q. Okay. Now, a -- An occult bleed behind  
10 Ms. Reaves uterus would be a emergency situation.  
11 True?

12 A. A bleed within her pelvis?

13 Q. Yes.

14 A. Yes, any -- any active bleeds within her  
15 pelvis would be an emergent situation.

16 Q. But it's also true that there can be an  
17 occult bleed behind the uterus that because of the  
18 clot can be slowing or stopping the bleeding until  
19 the clot breaks loose. True?

20 A. If you're referring to the idea that  
21 within the abdomen or pelvis you can have bleeding  
22 and the bleeding itself puts pressure on the  
23 vessel to minimize the bleeding, yeah, there's a  
24 lot of potential space in the pelvis and abdomen.

1 Q. And an occult bleed where the blood  
2 itself is tamponading the rest of the blood it,  
3 that's a very dangerous situation, is it not?

4 A. Any bleed within the abdomen or pelvis  
5 would be very dangerous.

6 Q. Um, you did a pre-op ultrasound on Ms.  
7 Reaves. Is that correct?

8 A. No, I evaluated and reviewed the  
9 ultrasound that was done preoperatively.

10 Q. And there's no evidence in this case  
11 that Ms. Reaves had any abnormalities in her  
12 vagina, her cervix, or her uterus. Correct?

13 A. Not according to the ultrasound that I  
14 saw.

15 Q. Okay. And not according to everything  
16 you know about her. Right? You're -- you just  
17 limited that response to the ultrasound is what  
18 I'm getting at.

19 A. Correct.

20 Q. Um, there's no evidence in this case  
21 that Ms. Reaves had any abnormalities in her  
22 uterus or cervix or any abnormal anatomy. True?

23 A. At one point when I was with Ms. Reaves,  
24 I did speculate that she had an abnormal anatomy.

1 Q. What was that?

2 A. At what point or what did I speculate?

3 Q. Well, first of all, what was the  
4 speculation?

5 A. I speculated that she either had a  
6 bicornuate uterus, which is an abnormally shaped  
7 uterus or an extra uterus.

8 Q. Did you ever determine whether in fact  
9 Ms. Reaves had a extra uterus or a bicornuate  
10 uterus?

11 A. No.

12 Q. So you have no evidence that Ms. Reaves  
13 had a abnormal uterus, abnormal cervix, abnormal  
14 vagina. Correct?

15 A. I have no evidence.

16 Q. Okay. And you have no evidence that Ms.  
17 Reaves had any placental or fetal abnormalities.  
18 True?

19 A. Correct.

20 Q. Um, what was the brand of cannula you  
21 used with the rigid?

22 A. I don't know.

23 Q. What color was it?

24 A. Clear.

1 Q. Alright. What time did you finish the  
2 first procedure on Ms. Reaves?

3 MR. HENRY: You could look at the  
4 records.

5 THE WITNESS: Yeah, I mean, the first  
6 procedure we finished at approximately 1:04.

7 BY MR. PHILLIPS:

8 Q. And of the -- What did you say, about 50  
9 or so second trimester abortions you've performed.  
10 Is that right?

11 A. No.

12 Q. Oh, I'm sorry, 200. I apologize.

13 A. No,

14 MR. HENRY: No, it's more than that.

15 THE WITNESS: Because we're talking --  
16 You're talking over 13 weeks. Right? So second  
17 trimester starts --

18 MR. PHILLIPS: I thought -- I thought it  
19 was 13,000 first term and -- Oh, 2,000. Is that  
20 right? I'm checking someone who's keeping good  
21 notes.

22 MR. HENRY: Well, it was 1 --

23 THE WITNESS: Can you repeat the  
24 question?

1                   MR. HENRY: It was 1 to 2,000 in 14  
2 weeks, 150 to 200 in 15 weeks, 200 to 250 at 16  
3 weeks.

4 BY MR. PHILLIPS:

5           Q.     Alright, let me make this easy. Of the  
6 second trimester abortions you've performed, what  
7 is the percentage of them that you have done under  
8 ultrasound guidance?

9           A.     Um, maybe 5 to 10 percent.

10          Q.     And what's the reason you did 5 to 10  
11 percent second trimester abortions with ultrasound  
12 guidance?

13          A.     Sometimes I use it if I have any  
14 question of being intrauterine and/or being  
15 completed with the procedure. Sometimes I use it  
16 when I'm training, so that the either med student  
17 or resident can visualize, and, quite honestly,  
18 now I do it all of the time because I was told  
19 that an attorney would ask me why I don't do it.

20          Q.     When were you told to start doing second  
21 trimester abortions under ultrasound guidance?  
22 After the Reaves case?

23          A.     Yeah.

24          Q.     Um, prior to the Reaves case, when's the

1 last time you did a second trimester abortion  
2 under ultrasound guidance?

3 A. I don't recall.

4 Q. Was it over 5 years before that, 10  
5 years before that?

6 A. I don't recall.

7 Q. Do you have any idea?

8 A. I really don't recall.

9 Q. I mean, do you have any idea whatsoever?  
10 Was it --

11 A. I would speculate that I had done it  
12 within the last year.

13 Q. How many?

14 A. Um --

15 Q. Or is that just speculation?

16 MR. HENRY: If you know. You've just --

17 THE WITNESS: No, I'm speculating.

18 MR. PHILLIPS: You're speculating?

19 MR. HENRY: Right.

20 THE WITNESS: Yeah, I'm speculating.

21 BY MR. PHILLIPS:

22 Q. Okay.

23 A. When I ever have any questions --

24 Q. Alright. Just hang on. So as you sit

1 here today, you have no memory whatsoever about  
2 the last time prior to Ms. Reaves case that you  
3 did a second trimester abortion under ultrasound  
4 guidance. Is that right?

5 A. I couldn't say exactly.

6 Q. Can you say generally?

7 A. Within a year.

8 Q. Okay. How many within the last year?

9 A. Only second trimester? I would be  
10 guessing.

11 Q. No idea?

12 A. No.

13 Q. How many first trimester abortions have  
14 you done under ultrasound guidance?

15 A. What percentage? Probably -- probably  
16 the same, 5 to 10 percent.

17 Q. There are benefits to using an  
18 ultrasound during the course of an abortion.  
19 Right?

20 A. If the ultrasound is helpful, then there  
21 is a benefit.

22 Q. Well, what are the benefits of using an  
23 ultrasound during a second term or first term  
24 abortion?

1           A.    If you have any question about the  
2 anatomy, or if in fact you are within the body of  
3 the fundus.

4           Q.    The ultrasound gives you further  
5 information with regard to performing abortion.  
6 Right?

7           A.    Mm-hmm.

8           Q.    Yes?

9           A.    Yes.  I'm sorry.

10          Q.    What are the dangers or detriments to  
11 using an ultrasound during a first or second term  
12 abortion?  There aren't any, are there?

13          A.    Well, patient comfort is one.  A lot of  
14 the procedures I do patients are awake, and it's  
15 pretty uncomfortable to have someone between your  
16 legs, on your belly.  So sometimes with patient  
17 comfort, I'll wait until the speculum's removed to  
18 do an ultrasound.

19          Q.    Other than a patient being  
20 uncomfortable, are there any other detriments or  
21 negatives to using ultrasound during the course of  
22 an abortion for guidance?

23          A.    None that come to my mind right now.

24          Q.    Was Ms. Reaves put to sleep during the



1 course of her first procedure on June 20, 2012?

2 A. Was she sedated? Yes.

3 Q. Okay. So you -- you could have  
4 certainly safely and easily done the first  
5 procedure on July 20, 2012, under ultrasound  
6 guidance. True?

7 A. Correct.

8 Q. How long would it take to do an  
9 ultrasound on Ms. Reaves during the first  
10 procedure on June 20th?

11 A. In what way does the ultrasound increase  
12 the length?

13 Q. No. How long would it take to do an  
14 ultrasound during the first procedure you did on  
15 Ms. Reaves on July 20th? It would just take a  
16 matter of 2, 3 minutes. Right?

17 A. I don't think it would -- To do it  
18 intraoperatively, it wouldn't increase the time.

19 Q. Okay. Would you -- Would you -- If you  
20 had chosen to do ultrasound guidance on Ms. Reaves  
21 on July 20th, could you either have done it  
22 externally the ultrasound or internally the  
23 ultrasound?

24 A. You can't -- To my knowledge, you can't

1 do a vaginal ultrasound while you're doing the  
2 procedure.

3 Q. You can do a vaginal ultrasound either  
4 before the procedure on July 20th or after the  
5 procedure on July 20th.

6 A. For vaginal. Correct.

7 Q. Okay. And that could've been done  
8 safely? A vaginal ultrasound could have been done  
9 safely on Ms. Reaves either before the procedure  
10 or after the first procedure on July 20th. Right?

11 A. Correct. I'm -- I'm not sure if the  
12 ultrasound that was done was vaginally or  
13 abdominally

14 Q. Okay, but a vaginal could have been  
15 done --

16 A. Yes.

17 Q. -- safely?

18 A. Yes.

19 Q. Okay. The machine that was used for the  
20 ultrasound for Ms. Reaves on July 20, 2012, could  
21 have been used either externally or internally in  
22 her vagina. Correct?

23 A. At any time during the day or during the  
24 procedure?

1 Q. During the procedure. What I'm saying  
2 is --

3 A. No, you can't use it --

4 Q. Wait, hang on, I know. The ultrasound  
5 machine itself that was used for Ms. Reaves was  
6 capable of doing either an external --

7 A. Oh, yes. Yes.

8 Q. -- or internal -- Hang on. The  
9 ultrasound machine that was used for Ms. Reaves on  
10 June -- July 20th could've been done either  
11 internally or externally. That machine was  
12 capable of doing both. Correct?

13 A. Correct.

14 Q. Turn to page 31, please.

15 MR. HENRY: What is that page?

16 THE WITNESS: It's the operative report.

17 BY MR. PHILLIPS:

18 Q. Operative report. By the way, you  
19 looked through these records that are labeled  
20 Exhibit 2, pages 1 through 48 prior to coming here  
21 today. Right?

22 A. Correct.

23 Q. Um, did you ever see the operative  
24 report from Ms. Reaves' abortion back in 2010?

1           A.    I did not.

2           Q.    Did you ask anybody what happened to the  
3   operative report from 2010, the abortion that Ms.  
4   Reaves had?

5           A.    I did not.

6           Q.    Um, did you at the time that you  
7   performed the abortion on Ms. Reaves, did you  
8   review the operative report from the April 2010  
9   abortion?

10          A.    I did not.  The patient, however, said  
11   there were no problems.

12          Q.    Okay.  Did you ask anybody at Planned  
13   Parenthood on April -- I'm sorry, did you ask  
14   anybody at any time at Planned Parenthood whether  
15   the April 2010 procedure report for her abortion  
16   that day was available?

17          A.    No.

18          Q.    Um, if you had wanted to see the  
19   operative report for Ms. Reaves from April of  
20   2010, was there a computer system at -- at Planned  
21   Parenthood or any written record that you could've  
22   gotten that day?

23          A.    I'm -- I don't know where all of the  
24   records are kept.  I'm presuming that if I had

1 wanted to see it, I could.

2 Q. Where would you have seen it?

3 A. I would've had to ask someone to -- I  
4 think I would've had to ask them for her old chart  
5 of if we had a record on her.

6 Q. And how are you paid at Planned  
7 Parenthood? Are you paid per abortion?

8 A. Per procedure. If I'm doing an  
9 abortion, I get paid per abortion. If I'm doing a  
10 vasectomy, I get paid per vasectomy.

11 Q. Do you do anything at Planned Parenthood  
12 as far as procedures, other than abortion or  
13 vasectomy?

14 A. I place IUDs. I do Implanon. I am paid  
15 hourly if I am doing primary care. As far as  
16 patient procedures, it would be within the  
17 spectrum of primary care and women's health.

18 Q. How much do you get paid per abortion at  
19 Planned Parenthood for a first trimester abortion  
20 and second trimester abortion?

21 MR. HENRY: I'm going to object to this.  
22 What relevance does this have?

23 MR. PHILLIPS: Well, I think it is  
24 because it could be a joint enterprise type thing

1 here. That's why.

2 MR. HENRY: What's a joint enterprise?

3 Joint enterprise with who?

4 MR. PHILLIPS: With Planned Parenthood.

5 MR. HENRY: Well, we've already admitted  
6 she's an agent.

7 MR. PHILLIPS: I understand that, but  
8 there could be more to this. It's a discovery  
9 dep.

10 THE WITNESS: I honestly don't know  
11 exactly how much I get paid.

12 BY MR. PHILLIPS:

13 Q. Well, do you get paid more for a first  
14 term abortion or a second term abortion, or you  
15 get paid the same amount for both?

16 A. Um, I get paid different amounts, but I  
17 don't know at what gestation the pay changes.

18 Q. Do you charge more when you do an  
19 abortion at your own facility than you get paid  
20 for an abortion at Planned Parenthood.

21 A. Do I? So at my office?

22 Q. Yeah.

23 A. At my office I am paid salary, if I'm  
24 paid.

1 Q. I see. Okay. So you have a set amount  
2 that you charge for an abortion at your office.  
3 Right?

4 A. For me?

5 Q. Yes.

6 A. No, I get paid a salary.

7 Q. No, what I'm saying is at all Women's  
8 Health, when you perform an abortion, the  
9 patient's charged an amount of money for that  
10 abortion. Right?

11 A. Oh, the fee for service? Correct,  
12 whatever services people have at my office they  
13 pay.

14 Q. Okay. What I'm getting at is, is the  
15 charge that your office charges for an abortion  
16 in your office higher, lower, or the same as in  
17 Planned Parenthood?

18 A. I don't know.

19 Q. Do you have any idea?

20 MR. HENRY: Don't guess.

21 BY MR. PHILLIPS:

22 Q. Well, put it this way --

23 A. I don't know.

24 Q. Ms. Reaves was charged \$459 for her

1 abortion.

2 A. And sedation.

3 Q. Hang on, hang on. Ms. Reaves was  
4 charged \$459 for the abortion that was performed  
5 on July 20th at Planned Parenthood. Right?

6 A. Mm-hmm.

7 Q. Yes?

8 A. That's what it says on this sheet.

9 Q. What do you charge for the same service  
10 at your clinic?

11 A. Um, I don't know.

12 Q. Do you have any idea? I mean it is your  
13 clinic.

14 A. I know, but I'm the medical director and  
15 doctor, and I have a new executive director who  
16 handles most of the business affairs.

17 Q. What do you think you charge?

18 MR. HENRY: Again, object if you're  
19 speculating.

20 THE WITNESS: I -- I truly don't know.  
21 I've handed off all of the administrative stuff,  
22 so I don't know.

23 BY MR. PHILLIPS:

24 Q. Do you have any idea what you would've



1 charged at your clinic for a second trimester  
2 abortion in July of 2012?

3 A. No. I mean I know -- I know we --

4 Q. How much? How much?

5 A. No, no, no. What I was going to tell  
6 you is I know that the price changes or it used to  
7 change at 12 weeks, 14 weeks, and 16 weeks.

8 Q. How much?

9 A. I don't know.

10 Q. You have no idea?

11 A. No.

12 Q. Of the percentage of abortions that you  
13 performed in the last three years prior to Ms.  
14 Reaves, what would be the percentage of them  
15 performed at Planned Parenthood versus your own  
16 clinic?

17 A. I don't know.

18 Q. Well, do you have -- do you have some  
19 range, reasonable estimate? Do you perform 90  
20 percent of your abortions at Planned Parenthood in  
21 the three years prior to Ms. Reaves or 90 percent  
22 at your office? What's the range?

23 A. Tell me which years we're talking about.

24 Q. Oh, let's take -- What can you break it

1 down? What years are easiest for you to break  
2 down?

3 A. Unfortunately at this point in my life  
4 years seem to be blending, but the -- I started  
5 out working minimally with Planned Parenthood so I  
6 truly don't know how many procedures I was doing  
7 there, and I worked at multiple other clinics and  
8 different places.

9 Q. Okay. In the three years prior to Ms.  
10 Reaves, that would be the years 2009, 2010, 2011,  
11 up to July of 2012, what percentages of abortions  
12 that you performed were done at Planned  
13 Parenthood?

14 A. For all of my abortions I would guess  
15 maybe 30 percent.

16 Q. Okay.

17 A. Twenty-five percent.

18 Q. And how many of them were done at your  
19 office?

20 A. Maybe another 30 percent.

21 Q. And the other? The other abortions, the  
22 other 30 or so or 40 percent, where would they be  
23 done?

24 A. Either my office in Washington or

1 National Health Care in Peoria. And what I can't  
2 recall is if I was still working in Wisconsin.

3 Q. Okay. Go to page 31, please. Does your  
4 handwriting appear on page 31?

5 A. Yes.

6 Q. Where -- where does it start?

7 A. At the top.

8 Q. Okay. As I'm holding this up, is this  
9 your handwriting or is this your handwriting or is  
10 it both?

11 A. Well, my initial's right there. There's  
12 my initial regarding the history, and then  
13 basically from here down is my handwriting.

14 Q. Okay.

15 A. Except for that signature right there,  
16 that initial, the reproductive health associate.  
17 That's not me.

18 Q. So the writing above laboratory results  
19 is not your writing.

20 A. Correct.

21 Q. But you initialed that you confirmed the  
22 history?

23 A. Yes, that I read it and talked to the  
24 patient about it.

1 Q. Alright. Laboratory results, is that  
2 your writing?

3 A. No.

4 Q. Okay. Do you recall any conversations  
5 you had with Ms. Reaves?

6 A. Prior to the procedure I don't remember  
7 any specifics.

8 Q. Do you remember any conversations you  
9 had with Ms. Reaves before the procedure on July  
10 20th?

11 A. I don't recall any specifics with her,  
12 but I always ask about four of the same questions  
13 to every patient.

14 Q. Which are?

15 A. Do you have any questions for me, are  
16 you certain about your decision today, do you have  
17 any questions about the procedure, and do you have  
18 any questions about birth control.

19 Q. Do you recall Ms. Reaves answers to any  
20 of those questions?

21 A. Not specifically.

22 Q. Okay, generally do you recall any of  
23 them?

24 A. Nothing out of the ordinary. No, I

1 don't recall.

2 Q. Okay. Basically, the only con -- You  
3 don't recall any conversations you had with Ms.  
4 Reaves before the first procedure, but you have  
5 four questions that you ask and the patient has to  
6 answer them accurately or you don't do the  
7 abortion. Right? Is that right?

8 A. Yeah, I mean there's not a right answer.  
9 It's more I want to be sure that their questions  
10 are answered and they're certain.

11 A. Right.

12 Q. But I won't -- I won't proceed with a  
13 procedure if there's something that I think that I  
14 shouldn't proceed with a procedure.

15 A. Okay. Do you recall any conversations  
16 you had with any family member or Ms. Reaves'  
17 mother prior to the procedure?

18 Q. Not prior to the procedure.

19 A. Okay. Now, what position was Ms. Reaves  
20 in during the course of the abortion? Was she on  
21 her back with her legs up?

22 A. Dorsal lithotomy.

23 Q. Operative report, time started is that  
24 12:51?

1 A. Correct.

2 Q. And you wrote over -- initially you  
3 wrote a 13 and you wrote over it with a 2?

4 A. Mm-hmm.

5 Q. Is that right?

6 A. Yeah, because I was putting the time  
7 finished where the time started went.

8 Q. Okay. By the way, when did you fill out  
9 page 31, you're writing or portion of it?

10 A. The initial of her history I do when I'm  
11 talking to the patient to make sure that's all  
12 true. Some of the things I'll do prior to the  
13 procedure, but the majority of it is what I do  
14 after the procedure.

15 Q. Okay. How long after the procedure did  
16 you fill out the parts below physical examination?

17 A. I went immediately from my seat to the  
18 counter.

19 Q. So you did it then?

20 A. Yeah.

21 Q. Now, cannula size, can you read that?  
22 What does it say?

23 A. 16R and 7F.

24 Q. And what does that stand for?

1           A.     Sixteen rigid and 7 flexible.

2           Q.     And is that the diameter of the  
3 cannulas?

4           A.     It's supposed to be the diameter in  
5 millimeters. The catch, though, is the 16s are,  
6 if I'm not mistaken, they're a metric I think.

7           Q.     Alright. So which -- which part of the  
8 procedure did you do with the rigid cannula?

9           A.     The majority of the procedure.

10          Q.     Up until what point?

11          A.     Up until after I had finished  
12 documenting and then came back to see if she was  
13 firm.

14          Q.     Okay. So you did use a flexible cannula  
15 during the first procedure you did on 7/20?

16          A.     Did I use a flexible cannula with the  
17 first procedure? Yes, but it was a second pass.

18          Q.     Meaning?

19          A.     Meaning I had removed the speculum, the  
20 tray was still there, I was documenting, and  
21 then --

22                   MR. HENRY: Okay, you -- you  
23 misunderstood his question.

24                   THE WITNESS: Oh, I'm sorry.

1           MR. HENRY: During the initial procedure  
2 did you use the flexible or was that after --  
3 later on?

4 BY MR. PHILLIPS:

5           Q. What I'm trying to get at you did two  
6 procedures on Ms. Reaves, the first was the  
7 evacuation. Right?

8           A. Yes.

9           Q. And the second procedure, what do you  
10 call that second procedure you did on 7/20?

11          A. Well, so the second time we went back in  
12 the room was also an evacuation.

13          Q. Okay.

14          A. What I was referring to was the passes  
15 that I do.

16          Q. Alright, hang on. You did two  
17 evacuations on 7/20. Right?

18          A. Well, three if you include the one that  
19 I did the first time we were in the room.

20          Q. Okay. So you did three evacuations on  
21 Ms. Reaves on 7/20. Right?

22          A. Correct.

23          Q. Okay. The first evacuation, did you use  
24 a rigid or flexible cannula?



1 A. Rigid.

2 Q. The second evacuation that you did on  
3 Ms. Reaves, did you use a rigid or flexible  
4 cannula?

5 A. Flexible.

6 Q. The third evacuation you did on Ms.  
7 Reaves, did you use a rigid or flexible cannula?

8 A. Let me look and see. If I recall it was  
9 a flexible.

10 Q. Alright. Now, the first evacuation you  
11 did on Ms. Reaves, how long did that take?

12 A. Approximately 13 minutes.

13 Q. Alright. And then you did your  
14 paperwork. Right?

15 A. Correct.

16 Q. And then you noticed there was blood  
17 dripping from her vagina. Right?

18 A. Correct.

19 Q. What did you do then, the second  
20 procedure?

21 A. No, as I mentioned, I gave suprapubic  
22 massage. I gave her fundal massage to see if her  
23 uterus was contracting down, um, which it was not,  
24 and then I did a bimanual and then did another

1 pass with -- or another aspiration with a 7  
2 flexible cannula.

3 Q. Okay. Now, the second procedure that  
4 you did with the number 7 flexible cannula, did  
5 you do that under ultrasound guidance?

6 A. Yes.

7 Q. And why did you decide to use the  
8 ultrasound?

9 A. Um, I think because it was already on  
10 her abdomen. Postoperatively I always do  
11 ultrasounds and so it was already on her abdomen.

12 Q. When you did the second insertion of the  
13 -- Strike that.

14 When you did the insertion of the  
15 flexible cannula for the first time, which would  
16 be the second procedure, did you feel anything  
17 abnormal or unusual or see anything abnormal or  
18 unusual?

19 A. Not that I recall.

20 Q. Did you document anything abnormal or  
21 unusual at that point?

22 A. Other than her boggy uterus and -- and  
23 the bleeding?

24 Q. No. Okay, what is the significance of a

1 boggy -- Strike that.

2           When did you first notice Ms. Reaves had  
3 a boggy uterus?

4           A.    When I first put my hand on her abdomen  
5 after documenting.

6           Q.    Okay. So you first noticed Ms. Reaves  
7 had a boggy uterus after you realized she was  
8 having blood dripping from her vagina?

9           A.    After I felt her uterus.

10          Q.    Okay, my question is, is you're doing  
11 your paperwork --

12          A.    Right.

13          Q.    -- after you did the first abortion.  
14 You look over, you see blood dripping from her  
15 uterus, you then put your hands on top of her  
16 belly. Right?

17          A.    So when I saw the blood dripping from  
18 her vagina, yeah, I -- I put my hand on her  
19 abdomen.

20          Q.    At that point you see she's got -- you  
21 feel she's got a boggy uterus. Right?

22          A.    Her uterus did not feel firm, so I  
23 wanted to check -- She had a --

24          Q.    That's a boggy uterus. Right, not firm?

1           A.    Right.

2           Q.    Just stick to my -- We got to get  
3 through this.  Okay?

4           A.    I could not feel her uterus through her  
5 abdomen, so that's when I chose to do a bimanual  
6 so I could tell if her uterus was firm or not.

7           Q.    How did you arrive at the conclusion  
8 that Ms. Reaves had a boggy uterus after the first  
9 aspiration after the first procedure?

10          A.    When I put my right hand in her vagina  
11 and my left hand on her abdomen I was able to feel  
12 the uterus itself and it was not firm.

13          Q.    And that was abnormal.  Right?

14          A.    It should be firm.

15          Q.    Okay.  So that was abnormal to have a  
16 boggy uterus?

17          A.    Yes.

18          Q.    Okay.  And the potential causes of a  
19 boggy uterus after a procedure like Ms. Reaves are  
20 what?  What is the most likely cause?

21          A.    Uterine atony can be -- For her case --  
22 Well, well uterine atony can be that the uterus is  
23 not contracting down.  Theoretically if it's not  
24 contracting down it's because either it's been

1 stretched too much, like a multi-gravid, someone  
2 who's been pregnant multiple times, and so that's  
3 the cause of the boggy uterus. And while the  
4 boggy uterus can lead to bleeding, the boggy  
5 uterus is not the only cause of bleeding.

6 Q. Well, boggy -- the boggy uterus can  
7 certainly be a sign of ischemia to the uterus.  
8 Correct?

9 A. I haven't heard that one before.

10 Q. Well, if the uterus isn't -- isn't  
11 getting blood flow, it's not going to be able to  
12 contract. True?

13 A. I've never had anyone word it like that.  
14 The boggy uterus has more to do with the  
15 contractility of it, and based on how the uterus  
16 is, the contraction of the uterus is what controls  
17 the bleeding.

18 Q. Okay. My question is the uterus is like  
19 a muscle, is it not?

20 A. The uterus is a muscle.

21 Q. Okay. And muscles need blood flow in  
22 order to contract. Right?

23 A. Yes.

24 Q. Okay, that's simple anatomy. Right?

1 Right?

2 A. Okay.

3 Q. Do you agree?

4 A. Well, I'm going to follow you because --

5 Q. Okay, but my --

6 A. Yes.

7 Q. Okay?

8 A. Yes.

9 Q. In order -- If a muscle is not getting  
10 blood, it's not going to be able to contract.

11 Right?

12 A. I -- Based on physiology, I would -- I  
13 mean, I -- I would assume it wouldn't contract.

14 Q. A uterus that is not receiving adequate  
15 blood flow is not going to be able to contract.  
16 It is going to become boggy. True?

17 A. Perhaps, but the majority of the  
18 contraction of the uterus has to do with the  
19 muscle itself in a reflexive snap back after it's  
20 no longer been stretched.

21 Q. Let's go back to my question. Isn't it  
22 true that a uterus that is not receiving adequate  
23 blood flow is going to become boggy, more likely  
24 than not?

1           A.    I would be speculating if I answered  
2   that.  I guess physiologically --

3           MR. HENRY:  If you don't know --

4           THE WITNESS:  No, I don't know.

5   BY MR. PHILLIPS:

6           Q.    Do you think that that makes sense that  
7   a uterus that is not receiving adequate blood flow  
8   is going to be boggy?

9           MR. HENRY:  Again, only if you feel  
10   qualified to answer.

11          THE WITNESS:  I don't think that makes  
12   sense.

13   BY MR. PHILLIPS:

14          Q.    Um, alright, you see that the -- Can you  
15   read that page, the bottom part, please?

16          A.    Where it's my handwriting?

17          Q.    Mm-hmm.

18          A.    Patient with boggy uterus after  
19   procedure.

20          Q.    Did you say patent or patient?

21          A.    Patient.

22          Q.    Okay.

23          A.    PT is patient.

24          Q.    Okay.

1 MR. HENRY: Start again.

2 BY MR. PHILLIPS:

3 Q. Yeah.

4 A. Patient with boggy uterus after  
5 procedure. MVA and 7 flex used with cessation of  
6 bleeding and a firm uterus. Misoprostol 800  
7 micrograms rectal placed.

8 Q. What does MVA mean?

9 A. Manual vacuum aspirator.

10 Q. Okay. And then -- So are you saying  
11 that after you did the second procedure with the  
12 manual vacuum aspirator with the 7 flexible the  
13 bleeding stopped and the uterus firmed up?

14 A. Correct.

15 Q. And did you come to a conclusion as to  
16 why that happened?

17 A. Because I had stimulated the uterus to  
18 contract.

19 Q. Was there any blood that you took out  
20 with this manual aspiration with the flexible the  
21 first time you used the flexible?

22 A. I'm speculating, but I think it was only  
23 about 5 or 10 cc.

24 Q. Okay, a very small amount.



1 A. Very small, yeah, about a teaspoon.

2 Q. Were you able to rule out an occult  
3 bleed in Mrs. -- Strike that.

4 Were you able to rule out an occult  
5 bleed in Ms. Reaves' uterus at the time you did  
6 this second aspiration, which is the first time  
7 you did a flexible cannula?

8 A. I wasn't able to rule in or out an  
9 occult bleed.

10 Q. Okay. Um, let's go back up where it  
11 says upper right -- I'm sorry, middle right of the  
12 page, page 31, it says uncomplicated MVA. Are you  
13 referring to the first procedure that you did?

14 A. Where are you looking?

15 Q. Here.

16 A. Uncomplicated MVA and suction curettage.

17 Q. When you say uncomplicated MVA and  
18 suction curettage, is that the first procedure you  
19 were referring to on page 31 when you circled  
20 that?

21 A. Both of those I deemed uncomplicated.

22 Q. Okay. Tissue examined by RHA. What  
23 does that mean?

24 A. It means the reproductive health

1 associate working in the lab that day.

2 Q. What is fetal part C plus T plus 4L  
3 identified. What does that mean?

4 A. Calvarium -- Oh, calvarium, thorax, and  
5 four limbs.

6 Q. Okay. Clean stripe on ultrasound at end  
7 of procedure. What does that mean?

8 A. It means that the uterus was empty.

9 Q. What does the stripe refer to?

10 A. Endometrial stripe is a sign that  
11 opposing sides of the uterus are together and so  
12 you see the line formed by them touching.

13 Q. Alright. So when you put on page 31  
14 uncomplicated MVA and SC, you're referring to both  
15 the rigid cannula suction as well as the flexible  
16 cannula suction procedure. Right?

17 A. Correct.

18 Q. So you're referring to the first two  
19 procedures?

20 A. Correct.

21 Q. Okay. Um, after you did the second  
22 procedure with this flexible cannula, you couldn't  
23 find a source for what you felt was abnormal or  
24 excessive bleeding, the bleeding that prompted you

1 to do the second procedure. Is that right?

2 A. No, that's not right.

3 Q. Okay.

4 A. The bleeding was because she had a boggy  
5 uterus. Her uterus was atonic.

6 Q. Okay.

7 A. Which is the most common cause of  
8 bleeding.

9 Q. Did -- Strike that.

10 It's true that you never arrived at a  
11 reason for her having a boggy uterus after the  
12 first procedure. Correct?

13 A. Because her uterus wasn't squeezing.

14 Q. Okay. But you never arrived at the  
15 reason why that was occurring. It was just a  
16 condition that you saw --

17 A. Correct.

18 Q. -- but you -- you didn't know the reason  
19 why. You just said I have a boggy uterus, I got  
20 to find out --

21 A. Right.

22 Q. You never determined why Ms. Reaves had  
23 a boggy uterus after the first procedure on July  
24 20th. True?

1           A.    The physiology, no.

2           Q.    Okay.  Okay.  When did you do the third  
3 procedure?

4           A.    I think if I look at the records, we got  
5 her back into the room at about 3, and then the  
6 procedure started about 3:04.

7           Q.    And why did you bring Ms. Reaves --  
8 Strike that.

9                   Where did Ms. Reaves go after the second  
10 procedure and before the third procedure?

11          A.    She was in the recovery room.

12          Q.    How far away is the recovery room from  
13 the operating room?

14          A.    Across the hall.

15          Q.    Alright.  And why was Ms. Reaves brought  
16 into the operating room for the third procedure?

17          A.    Because once I realized that she was  
18 still bleeding, then I wanted to know what was  
19 going on.  I wanted to look, listen, and feel.

20          Q.    And how did you realize that Ms. Reaves  
21 was still bleeding that prompted you to take her  
22 in for the third procedure?

23          A.    Because if you recall, I had mentioned  
24 she had been in the recovery for about half-an-

1 hour. They checked her pad and it was soaked, and  
2 I didn't know if that had occurred right after the  
3 procedure or during that whole time, and so I  
4 wanted to see from kind of time zero what the  
5 current level of bleeding was.

6 Q. And what did you see -- What did you see  
7 just before you brought her in for the third  
8 procedure?

9 A. She had a moderately soaked pad. It  
10 wasn't as soaked as before, but it hadn't as been  
11 as long of a time period.

12 Q. When you saw the moderately soaked pad  
13 prior to the third procedure, that was more than  
14 you expected. True?

15 A. True.

16 Q. What -- what were you thinking at that  
17 time as far as the reason that Ms. Reaves was  
18 still bleeding just prior to this third procedure?

19 A. Her uterus was probably boggy again.

20 Q. And did you have any explanation as to  
21 why Ms. Reaves' uterus was boggy just prior to  
22 this third procedure?

23 A. Second trimester procedures have a  
24 greater likelihood of not firming up, but other

1 than that, I have no -- And the history of her  
2 being boggy, so her uterus had already shown me  
3 that it wasn't clamping down very well.

4 Q. Prior to the third procedure on July  
5 20th, you couldn't rule out a uterine perforation  
6 in Ms. Reaves. Correct?

7 A. I couldn't rule it out? I couldn't rule  
8 it out.

9 Q. Okay. And even up until the time Ms.  
10 Reaves left Planned Parenthood on July 20th, you  
11 couldn't rule out the fact that she had a  
12 perforated uterus. Correct?

13 A. I couldn't rule it out.

14 Q. Um, and rule out means exclude.  
15 Correct?

16 A. That's my understanding, yeah.

17 Q. Okay. Now, the third procedure that you  
18 did on Ms. Reaves on July 20th, what do you call  
19 that procedure?

20 A. Aspiration.

21 Q. Okay. And what type of cannula did you  
22 use in the third procedure?

23 A. I think a 7 flex.

24 Q. The same as the second?

1           A.    Yes.

2           Q.    Now, the third procedure you did on Ms.  
3 Reaves, were you able to visualize with your eye  
4 what was going on inside Ms. Reaves uterus?

5           A.    I did have ultrasound guidance.

6           Q.    Okay. But were you able to visualize  
7 with your eye -- your naked eye by looking up Ms.  
8 Reaves' vagina and cervix into her uterus at all,  
9 in either the second or third procedure?

10          A.    No, I wasn't.

11          Q.    So you're relying on the ultrasound to,  
12 quote, visualize, unquote, the uterus in the  
13 second and third procedure for Ms. Reaves. Is  
14 that right?

15          A.    Correct.

16          Q.    Are you able to identify an occult  
17 hemorrhage on ultrasound?

18          A.    It depends on where the hemorrhage is  
19 you would be able to either see the -- an abnormal  
20 fluid collection.

21          Q.    Okay. And based -- Did you review the  
22 Northwestern records in this case?

23          A.    I saw -- I was given a copy of them.  
24 Yes.

1 Q. Okay, did you review them?

2 A. I tried my best.

3 Q. Well, did you review the operative  
4 reports for Ms. Reaves at Northwestern?

5 A. I don't recall if that was in the  
6 package I got.

7 Q. Um, did -- Why don't you take a look at  
8 that.

9 A. No, I've never seen this one before.

10 MR. HENRY: You want to break? If -- if  
11 you're going to have her read it, I want to make  
12 sure she has enough time.

13 THE REPORTER: This is the end of tape  
14 number two. The time is 3:17 p.m., and the  
15 running length of this tape is 55 minutes and 25  
16 seconds.

17 (WHEREUPON, a videotape  
18 change was made.)

19 THE REPORTER: This is the beginning of  
20 tape number three. The time is 3:25 p.m. We're  
21 now back on the record.

22 BY MR. PHILLIPS:

23 Q. Okay, Doctor, based on your review of  
24 the autopsy while we were off the record, where in



1 the uterus was the perforation identified?

2 A. The autopsy identifies something in the  
3 left -- I think the left broad ligament. Yeah,  
4 left broad ligament.

5 Q. Okay. That's the left side of Ms.  
6 Reaves body. Is that right?

7 A. Correct.

8 Q. Okay. And, um, that uterine perforation  
9 is identified in autopsy. You can't rule out the  
10 fact that that occurred at Planned Parenthood.  
11 Correct?

12 A. It's not clear that a perforation  
13 occurred at Planned Parenthood.

14 Q. So my question is, you can't say whether  
15 or not that perforation I identified -- Strike  
16 that.

17 You can't say whether or not the  
18 perforation -- perforated uterus identified at  
19 autopsy occurred at Planned Parenthood or not.  
20 Correct?

21 A. I can't say that.

22 Q. Okay. If the perforation did occur at  
23 Planned Parenthood, you would have been the person  
24 who caused that perforation. Correct?

1 A. If there had been a perforation, yes.

2 Q. Okay. Is there anything about the  
3 location of that perforation that speak for or  
4 against that perforation occurring at Planned  
5 Parenthood?

6 A. Not that I can -- Not to my knowledge.

7 Q. Okay. Thank you very much. Looking at  
8 Group Exhibit No. 5A through -- Oh, I'll just --  
9 Wait, never mind. If she's nice enough to do  
10 that, I'm going to be nice enough to wait.

11 Um, looking at Exhibits 32 and 33 --

12 A. The pages?

13 Q. Yeah, lower right hand corner of the  
14 Planned Parenthood records.

15 A. Okay, say those numbers again, please.

16 Q. Looking at the anesthesia record, which  
17 is --

18 A. Oh, okay.

19 Q. -- pages 32, 33, 34. Correct?

20 A. Correct, that's what I have.

21 Q. How many liters of lactated ringers, the  
22 IVF did Ms. Reaves receive at Planned Parenthood?

23 A. I'm not sure if it would be a thousand  
24 on page 34 is the repetition of what's on 33. So

1 it would be -- She has written the total as a  
2 thousand.

3 Q. Um, pages 33, 30 -- No, strike that.

4 Pages 32 through 34 were filled out by  
5 the CRNA. Right?

6 A. Correct.

7 Q. Your handwriting does not appear on  
8 pages 32 through 34. Is that right?

9 A. Correct.

10 Q. So you're not sure whether Ms. Reaves  
11 received 1,000 or 2,000 liters of IVF. Correct?

12 A. Correct.

13 Q. Okay. Is there any way you can from  
14 your memory or from deciphering these documents  
15 whether it's 1,000 or 2,000 liters of intravenous  
16 fluids?

17 A. I couldn't tell.

18 Q. Okay. Um, the reason -- Who made the  
19 decision to give Ms. Reaves between 1,000 and  
20 2,000 liters of IVF? Was that you or was that the  
21 anesthesiologist?

22 A. Again, it's not clear if she did get the  
23 1,000 to 2,000 or total 1,000, but it was the  
24 anesthesiologist who -- who was in charge of IV

1 fluids.

2 Q. And the reason that the IV fluids were  
3 given to Ms. Reaves, somewhere between 1,000 to  
4 2,000 milliliters was because of the blood loss.  
5 Right?

6 A. The IV was started because she was  
7 getting sedation. So at least 250 cc is given to  
8 every patient who has sedation.

9 Q. Okay. So anything in excess of the 250  
10 cc of IV fluid that Ms. Reaves received was given  
11 in response to her bleeding. Right?

12 A. Or the amount of time that she had an IV  
13 in because in order to keep it patent, keep the IV  
14 patent, you have to have fluid continuously  
15 flowing or it'll clot off.

16 MR. ATWOOD: Steve, can we go off the  
17 record for 1 second? I'm confused. Is she saying  
18 milliliters or liters?

19 MR. PHILLIPS: Good point.

20 THE WITNESS: Milliliters.

21 MR. ATWOOD: Because -- Yeah, because  
22 there were some questions asked, and I thought you  
23 were saying 1 to 2,000 liters, and I'm thinking  
24 how.

1 MR. PHILLIPS: You're right. I screwed  
2 up.

3 MR. ATWOOD: So -- Okay.

4 MR. PHILLIPS: My fault.

5 BY MR. PHILLIPS:

6 Q. Okay. Earlier in the deposition when  
7 you or I or both of us talked about between 1,000  
8 and 2,000 liters --

9 MR. HENRY: Either 1,000 or 2,000.

10 THE WITNESS: Milliliters.

11 BY MR. PHILLIPS:

12 Q. Yeah, we're actually talking  
13 milliliters. Right?

14 A. Milliliters. Correct.

15 Q. Um, if Ms. Reaves did not have the  
16 abnormal or excessive bleeding after the first  
17 procedure, how many milliliters of fluid would she  
18 have gotten probably, somewhere between 250 and  
19 350 or 250-ish?

20 A. It could be 250 to 500 depending on the  
21 size bags they were hanging and the speed of the -  
22 - that the nurse left it open in the discovery  
23 room?

24 Q. What do think it would've been, 250 or

1 500?

2 A. I'd be guessing.

3 Q. Okay. Looking at Exhibit 5A through 5V,  
4 as in Victor, are you able to determine whether or  
5 not there is a uterine perforation on any of those  
6 ultrasounds?

7 A. No, I'm not.

8 Q. Okay. Are you able to determine whether  
9 or not there is clot -- blood clot, either occult  
10 or nonoccult on any of those ultrasounds, Exhibit  
11 5A through 5B?

12 A. No, I'm not.

13 Q. Okay, that's all I have. Is -- is the  
14 reading of those ultrasounds to determine whether  
15 or not there is a uterine perforation on there, is  
16 that beyond your expertise?

17 A. Can I diagnose a uterine perforation?  
18 If there's free fluid that I can see, I can  
19 diagnose it.

20 Q. Have you ever seen a uterine perforation  
21 on ultrasound?

22 A. I have.

23 Q. How many have you seen?

24 A. Probably 10 -- 6 to 10.

1 Q. And when is the last time, prior to Ms.  
2 Reaves, did you see a uterine perforation on an  
3 ultrasound?

4 A. In person or in a book?

5 Q. In person.

6 A. Probably five or six years prior.

7 Q. Are those ultrasounds, 5A through 5V,  
8 are any of them internal ultrasounds, vaginal  
9 ultrasounds?

10 A. I believe yes.

11 Q. Which ones?

12 A. The first ones when we went back into  
13 the room.

14 Q. Okay, would you look at those?

15 A. So sometimes the transducer will tell  
16 you. I'm not sure I could tell you which ones  
17 exactly were vaginal.

18 Q. What is your best estimate -- your best  
19 understanding of which one of those ultrasounds in  
20 Group Exhibit 5 are -- are vaginal?

21 A. Again, I wouldn't be able to tell you  
22 certainly, but once I had the speculum in I didn't  
23 have a vaginal probe.

24 Q. Give me your best understanding of which

1 of those are vaginal ultrasounds of Group Exhibit  
2 5?

3 A. If any of them are vaginal, and again I  
4 can't be certain, it would probably be just the  
5 first ones after we went back into the room.

6 MR. HENRY: And what are the numbers on  
7 the back?

8 THE WITNESS: Oh, I'm sorry. C, D, and  
9 E, maybe F.

10 BY MR. PHILLIPS:

11 Q. But you don't know whether they truly  
12 are vaginal ultrasounds. Correct?

13 A. I can't recall, and I don't know how to  
14 read on here which is which.

15 Q. Could you turn to page 39 please.

16 A. Yes.

17 Q. Do you see -- Who's writing is this, do  
18 you know?

19 A. It is one of the nurses.

20 Q. Do you know -- and strike that.

21 Do you agree that at the time of --  
22 around the time of discharge Ms. Reaves had heavy  
23 bleeding, uncontrollable bleeding?

24 A. No.



1 Q. Do you know how this nurse on page 39  
2 arrived at this diagnosis of heavy bleeding,  
3 uncontrollable bleeding?

4 A. Because when the patient was in the  
5 recovery room, she had the heavy bleeding at -- I  
6 think at the time that this was written, the  
7 patient was in the procedure room.

8 Q. So let me ask you this. At 1350 --  
9 sometime after 1353 was Ms. Reaves in the  
10 procedure room? Oh, at some time after 3:53, was  
11 Ms. Reaves in the procedure room?

12 A. Yes.

13 Q. And that was when she received the  
14 flexible catheter aspiration -- third one?

15 A. The second -- Yes.

16 Q. Okay. The heavy bleeding that's on page  
17 39 is what led to you doing the third procedure.  
18 Is that right?

19 A. But the heavy bleeding is documenting  
20 the time after I had done that.

21 Q. Okay, my -- Here's my question. There's  
22 a nurse on page 39 who's referring to heavy  
23 bleeding, uncontrollable bleeding.

24 A. Right.

1 Q. Did you at any time either before the  
2 third procedure or after the third procedure  
3 determine that Ms. Reaves had heavy bleeding,  
4 uncontrollable bleeding?

5 A. She had heavy bleeding prior to me  
6 taking her back to the procedure, and in the  
7 procedure room the bleeding was controlled.

8 Q. And that was the third procedure?

9 A. Correct.

10 Q. Okay. So it's your understanding that  
11 this diagnosis of heavy bleeding, uncontrollable  
12 bleeding by the nurse was prior to the third  
13 procedure?

14 MR. HENRY: Let me just object because  
15 it causes her to speculate as to what the nurse  
16 meant, but -- If you know, you know; if you don't,  
17 you don't.

18 THE WITNESS: I would assume the nurse  
19 meant based on what she had seen in the recovery  
20 room.

21 BY MR. PHILLIPS:

22 Q. Okay, do you believe that Ms. Reaves had  
23 uncontrollable bleeding prior to the third  
24 procedure?

1           A.    It was uncontrolled but it was  
2   controllable because I controlled it.

3           Q.    Okay.  Um, who decided to call the  
4   ambulance?

5           A.    I did.

6           Q.    And what time did you decide to call the  
7   ambulance?

8           A.    Again, I'd have to speculate on times  
9   because some of the clocks are not calibrated  
10   appropriately, but I think I had decided after we  
11   were in the procedure room that I wanted to call  
12   the ambulance.

13          Q.    Okay, what time did you -- did you call  
14   the ambulance?  And I know the clocks may be --

15          A.    I didn't call the ambulance, the nurse  
16   does.

17          Q.    What time did you order the ambulance be  
18   called, and I know the clocks can be off 1 or 2 or  
19   3 minutes.  I get that, but I want to know what  
20   time?

21                   MR. HENRY:  You want an exact time?

22   BY MR. PHILLIPS:

23          Q.    A range.

24          A.    I'd speculate around 4, sometime before

1 4.

2 Q. How long before 4?

3 MR. HENRY: If you know, again.

4 THE WITNESS: I don't know.

5 BY MR. PHILLIPS:

6 Q. Alright, well, look at page 40.

7 A Mm-hmm.

8 Q. Do you know whose writing this is?

9 A. I don't. If I guessed it's one of the  
10 recovery room nurses.

11 Q. Do you have any reason to believe that  
12 any of the events that are written in page 40 are  
13 inaccurately either observed or recorded?

14 A. I don't have any reason to believe that.  
15 No.

16 Q. Okay. Um --

17 A. Oh, can I clarify one thing?

18 Q. I guess.

19 A. The -- At one point she says the patient  
20 returned to the procedure room for re-aspiration  
21 in which she began to bleed. It was she was  
22 brought to the procedure room because she was  
23 bleeding.

24 Q. This nurse wrote down patient brought to

1 recovery room for observation. Patient in  
2 recovery for 45 minutes. Bleeding was checked and  
3 had one thick pad soaked with blood. Do you agree  
4 with that?

5 A. Yes.

6 Q. Page 40 continues patient returned back  
7 to chair to observe for 15 more minutes. Patient  
8 checked pad again for bleeding, in which bleeding  
9 was heavy with large clots passing. Do you agree  
10 with that?

11 A. Correct.

12 Q. Did you see the large clots?

13 A. No.

14 Q. Do you have any reason to believe that  
15 the nurse who wrote with large clots passing on  
16 page 40 was incorrect in her observations or  
17 recording?

18 A. I don't have any reason to believe that.

19 Q. Okay. On page 40 it continues. Patient  
20 went -- returned to procedure room for re-  
21 aspiration in which she began to bleed heavily.  
22 Do you agree with that?

23 A. No.

24 Q. And what do you disagree with?

1 A. She was --

2 MR. HENRY: She just -- she just  
3 testified to this, but say it again.

4 THE WITNESS: She was brought to the  
5 procedure room because she was bleeding heavily  
6 but wasn't bleeding heavily in the procedure room.

7 BY MR. PHILLIPS:

8 Q. Okay.

9 A. In fact, we had stopped the bleeding in  
10 the procedure room.

11 Q. And that was the second procedure.  
12 Right?

13 A. No, that's the third one, since you're  
14 counting all three.

15 Q. Okay. And then patient was given at  
16 3:12 10 units of Pitocin IV dorsum right hand.

17 A. Mm-hmm.

18 Q. Do you agree with that?

19 A. I -- I gave -- I asked them to give her  
20 Pitocin.

21 Q. Do you have any reason to believe that  
22 this sentence on page 40, patient was given at  
23 1512 10 units of Pitocin IV dorsum right hand --  
24 Do you have any reason to believe that's

1 inaccurate?

2 A. I have no reason to believe it's  
3 inaccurate.

4 Q. And then page 40 continues at 1522 10  
5 units of Pitocin IV dorsum right hand given. Do  
6 you have any reason to believe that's inaccurate?

7 A. I do not.

8 Q. And then it says at 1525 Methergine  
9 IM/milliliter/0.02 [sic] milligrams given IMO. Do  
10 you have any reason to believe that's inaccurate?

11 A. It's IM. But, no, that is correct.

12 Q. And page 40, 1553 Toradol 30 milligrams  
13 IV given at dorsum right hand. Do you have any  
14 reason to believe that's inaccurate?

15 A. No.

16 Q. And on page 40, it says patient  
17 continued heavy bleeding. Do you have any reason  
18 to believe that's inaccurate?

19 A. Yes.

20 Q. And why is that inaccurate?

21 A. Because her bleeding had subsided.

22 Q. Completely stopped?

23 A. No subsided.

24 Q. Meaning that it slowed down?

1 A. Yeah.

2 Q. And how would you describe when this  
3 nurse on page 40 wrote patient continued heavy  
4 bleeding, how would you describe the bleeding, as  
5 light, medium, or heavy?

6 A. Light.

7 Q. Okay. And then page 40 it says 1617  
8 ambulance came, patient sent to ER via ambulance  
9 CFD. Do you see that?

10 A. Yes, I do.

11 Q. Is that accurate?

12 A. I'm not sure if it was Chicago Fire but  
13 I did hand her off to paramedics.

14 Q. Okay. And it says patient in stable  
15 condition?

16 A. Correct.

17 Q. Is that accurate?

18 A. Correct.

19 Q. Do you recall -- The notes indicate that  
20 you had a conversation with a resident from  
21 Northwestern.

22 A. Correct.

23 Q. Do you recall any of the conversation  
24 that you had with the resident at Northwestern?



1           A.    I do.

2           Q.    And who was the resident that you spoke  
3 to at Northwestern?

4           A.    I don't remember who it was. It was  
5 whoever had the on-call pager.

6           Q.    How many residents did you speak to at  
7 Northwestern on the day of Ms. Reaves transfer?

8           A.    At least two that I can recall.

9           Q.    Male or female?

10          A.    They sounded female.

11          Q.    And the first resident you spoke to at  
12 Northwestern on 7/20, what did she say to you and  
13 what did you say to her?

14          A.    I told her that I was transferring a  
15 patient after a 16 week procedure, that she had  
16 had a boggy uterus after. We brought her back for  
17 a re-aspiration because she was bleeding. Her  
18 uterus was contracting down fine, but on  
19 ultrasound I couldn't really identify her anatomy.  
20 I was a little confused by it. And so while her  
21 bleeding had subsided, I wanted her to be  
22 monitored.

23          Q.    And what did the resident say to you?

24          A.    I will see her when she gets here.

1 Q. Now, you had a second conversation with  
2 the resident at Northwestern?

3 A. Yeah. Yes.

4 Q. How long after the first conversation  
5 was the second conversation with the resident?

6 A. The second conversation was at about  
7 7:30 at night.

8 Q. And was that a female as well?

9 A. Correct, and that was the intern.

10 Q. Okay. Now, the first resident you spoke  
11 to at Northwestern, was that prior to the time  
12 that the ambulance picked up Ms. Reaves?

13 A. That I don't recall because I had  
14 trouble getting in touch with Northwestern. We  
15 tried calling them multiple times, and I stopped  
16 waiting by the phone.

17 Q. How many times did you call Northwestern  
18 before you spoke to a resident?

19 A. Well, when I spoke to the resident, we  
20 page them, but I had called the emergency room I  
21 think three -- two or three times.

22 Q. Over what period of time did you call  
23 Northwestern two or three times before someone  
24 responded. How many minutes?

1           A.    I would be guessing.  I don't know  
2 exactly.

3           Q.    What's your best estimate, 20 minutes,  
4 30 minutes?

5           A.    No, maybe 10 minutes.

6           Q.    Okay.

7           A.    Because at that point the patient was  
8 already being transferred.

9           Q.    The first resident you spoke to at  
10 Northwestern, what service was that resident on?

11          A.    OB/Gyn.

12          Q.    Now, at about 7:30 at night you spoke to  
13 an intern?

14          A.    Correct, I think it was the intern.

15          Q.    And, um, was that a general medicine  
16 intern?

17          A.    No, it was the OB/Gyn intern.

18          Q.    Okay, now, the intern you spoke to, the  
19 OB/Gyn intern at about 7:30 p.m., what did you say  
20 to her, what did she say to you?

21          A.    I asked her how Tonya was doing, and she  
22 said they just got out of the OR.  They had done  
23 an aspiration and she said that they had gotten  
24 some placental tissue.  They were giving her blood

1 and she was stable. And I asked the resident -- I  
2 know it was an intern because I asked her when she  
3 said that there was some placenta left, I asked  
4 her if they had identified a cotyledon, which is a  
5 component of the placenta, it's got little pieces,  
6 and I know at a term delivery you look for the  
7 placenta in whole, but I didn't know you could do  
8 that at 16 weeks, and so she said she didn't know  
9 the answer, but she would check with the senior  
10 resident.

11 Q. And did you ever hear back from that  
12 person?

13 A. No.

14 Q. Um, did -- Do you recall any  
15 conversations you had with Tonya Reaves at any  
16 time during the course of the procedures or after  
17 the procedures?

18 A. Yes.

19 Q. And what do you recall?

20 A. After the third aspiration when the  
21 bleeding was controlled but I had made the  
22 decision to send her to the emergency room, she  
23 was still lying in the bed. She was with Ms.  
24 Joyce, her mom, and she was telling me that she

1 felt fine and that she really didn't want to go.

2 Q. Anything else?

3 A. She was worried about the car because it  
4 was parked in the Treasure Island parking lot.

5 Q. Do you recall any conversations you had  
6 with Ms. Johns, her mother, Tonya's mother?

7 A. Yeah, I was introduced to her as Ms.  
8 Joyce.

9 Q. Okay.

10 A. But I do remember in detail our  
11 conversation.

12 Q. Tell me about that.

13 A. So after the third aspiration and prior  
14 to calling the ambulance, I went to talk to her to  
15 explain that I really wanted to call an ambulance.  
16 And part of the reason I was talking to her is  
17 Tonya wanted me to tell her mom before I called  
18 the ambulance because she didn't want her mom  
19 freaking out. So I went in and talked to Ms.  
20 Joyce, told her that, um, I had seen more bleeding  
21 than I would've liked, everything was stable now  
22 but because her uterus had been a little -- I  
23 think I used the word floppy -- that's usually  
24 what I use with patients or family -- I wanted

1 someone to monitor her in case it got floppy again  
2 and didn't contract down, but I also told her that  
3 I -- based on the ultrasound there was something  
4 that I couldn't identify in her anatomy, so I  
5 wanted to be sure that someone could get whatever  
6 appropriate -- could look at it and tell me what  
7 that was.

8 Q. What did you see on the ultrasound that  
9 you couldn't identify that caused you some  
10 concern?

11 A. In specifically 5C, 5F, and then all of  
12 -- a lot of these L and N, I thought I was looking  
13 at the body of two uteri. I thought I was looking  
14 at two fundi here, because there's two rounded  
15 heterogeneous -- Could you hold that up for the  
16 camera and point out what you're referring to?  
17 And point, if you would -- Put it next to you and  
18 point out. Can you zoom in on that so we don't  
19 have to mark that up?

20 THE REPORTER: Just --

21 THE WITNESS: Where do you want me to  
22 put it?

23 BY MR. PHILLIPS: Hold it behind you.  
24 It's the old fashioned way, and you can just point

1 out what you thought.

2 THE WITNESS: So this is the first  
3 ultrasound that we did when we went back in the  
4 room.

5 THE REPORTER: Okay. Just one moment,  
6 please.

7 MR. PHILLIPS: I'm going to hold it.

8 THE REPORTER: Okay, one moment, please.  
9 Just hold it straight. Let me -- let me zoom in.

10 MR. PHILLIPS: I'm going to hold it.

11 THE REPORTER: Okay, one moment, please.  
12 Okay, go ahead.

13 THE WITNESS: So for the first  
14 ultrasound, this right here looks like a uterus  
15 with a possible endometrial stripe, but there's  
16 another heterogeneous collection there and I  
17 didn't know what that was.

18 BY MR. PHILLIPS:

19 Q. Anything else on these ultrasounds that  
20 confused you?

21 A. Well, in all of the different views,  
22 that persists.

23 THE REPORTER: One moment, please. One  
24 moment. Let me zoom out. Okay, can you show us

1 again, please?

2 THE WITNESS: In all of the subsequent,  
3 I still keep seeing these two well-rounded solid  
4 structures.

5 BY MR. PHILLIPS:

6 Q. Did you ever keep any personal notes  
7 with regard to Tonya Reaves that aren't in the  
8 Planned Parenthood records?

9 A. No, I sent one E-mail to my medical  
10 director regarding the transfer.

11 Q. Okay. And do you still have that?  
12 Well, you still have the E-mail. Right?

13 A. I think so.

14 Q. Okay.

15 A. Yeah.

16 Q. Um, did you make any notes in any diary  
17 or anything like that about Tonya Reaves?

18 A. I don't keep a diary.

19 Q. Did you publish anywhere or have you  
20 spoken about Tonya Reaves case anywhere?

21 A. I've spoken to colleagues about the  
22 case.

23 Q. Look at page 41 of the records, please,  
24 which is your note. Can you -- can you read that



1 note, please, out loud?

2           A. Patient had increased vaginal bleeding  
3 in recovery. Brought to procedure room.  
4 Ultrasound consistent with bicornuate versus two  
5 uteri. Under ultrasound guidance, both canals  
6 entered. Aspiration done with approximately 20 cc  
7 of clot removed. Sent to pathology for  
8 evaluation. Patient monitored, and after 15  
9 minutes approximately 10 cc clot in the vagina.  
10 Patient given Pitocin 20 milligrams IV, Methergine  
11 0.2 mg IM and approximately 10 minutes later  
12 decreased vaginal bleeding. Continued tender at  
13 uterus. Spoke with Dr. Hoke, who's my medical  
14 director. Transferred to Northwestern Memorial  
15 Hospital for monitoring. Explained to patient's  
16 mom. Telephone call to ER and transferred but no  
17 answer. Paged to OB/Gyn; resident report given.  
18 Patient vital signs stable throughout. 911  
19 called. No Pitocin was given after the second  
20 aspiration and Methergine during.

21           Q. Are you on staff at Northwestern?

22           A. I am clinical faculty.

23           Q. Can you admit patients at Northwestern  
24 under your name?

1           A.     Northwestern doesn't allow family  
2     medicine doctors to have privileges in their  
3     hospital.

4           Q.     Okay. Did you ever talk to Mr. Alvin  
5     Jones, who's Tonya's fiancée?

6           A.     I never spoke with Mr. Alvin Jones.

7           Q.     Did you speak to any member of Tonya's  
8     family, other than her mother?

9           A.     When I called to give condolences to Ms.  
10    Joyce, someone else answered the phone, and I  
11    think it was an uncle, but I don't recall who that  
12    was.

13          Q.     Do you recall any of that conversation?

14          A.     Yes, I do. I asked to speak to Ms.  
15    Joyce because I wanted to give my condolences for  
16    Tonya's death.

17          Q.     And what did he say to you and what did  
18    you say to him?

19          A.     I don't remember exactly what he said,  
20    but there was a hesitation in letting me talk to  
21    Ms. Joyce.

22          Q.     Did you talk to her at that time?

23          A.     I did ultimately.

24          Q.     And what did Ms. Johns/Joyce say to you

1 when you called afterwards to give her your  
2 condolences?

3 A. Thank you.

4 Q. That's it?

5 A. I think we talked about how difficult it  
6 was, how sad she is. It was a brief conversation.

7 Q. When you say you talked to Ms. Joyce  
8 about how difficult it was when you made that call  
9 after Tonya died, when you're saying how  
10 difficult, you're talking about the fact that the  
11 lady died, a young woman died?

12 A. That her daughter was dead. Correct.

13 Q. Did you tell Ms. Johns/Ms. Joyce what  
14 you thought happened or how it happened?

15 A. No.

16 Q. What brand and model was the cannula  
17 that you used, the flexible one and the rigid one?

18 A. As I mentioned before, I don't know the  
19 brand.

20 Q. Okay. How many inches is the opening of  
21 the cervix from the outside of the vagina?

22 A. How many -- Is the cervical os from the  
23 vagina? It depends on the patient.

24 Q. Ms. Reaves?

1           A.    I don't know exactly.

2           Q.    Do you have an estimate?

3           A.    I'd be guessing.

4           Q.    Is there anything about Ms. Reaves that  
5   made her more high risk than any other or high  
6   risk, or was she just your normal average patient?

7           A.    I never like calling any patient normal  
8   or average.  There was nothing about her history  
9   or physical exam that was remarkable.  A second  
10   trimester procedure does carry more risks,  
11   primarily because of the uterus itself.

12          Q.    Right, but --

13          A.    Tonya was --

14          Q.    Go ahead.

15          A.    -- by definition her body mass index was  
16   31, which theoretically is more difficult as far  
17   as procedures, but her habitus didn't make it  
18   difficult for me.

19          Q.    Do you think that there was anything  
20   about Tonya Reaves made her more -- that made her  
21   at risk or a higher risk than any one of your  
22   other abortion patients?

23          A.    Than any other 16 week patient?  No, I  
24   don't think there was anything in particular.

1 Q. Um, did you ever use -- Did you ever  
2 curettage Tonya's uterus with anything other than  
3 the flexible or the rigid cannula?

4 A. I don't recall.

5 Q. If you had used a curettage other than  
6 the flexible or rigid cannula, would you have  
7 noted that in the record, or you may have?

8 A. Yeah, I would have.

9 Q. You would have?

10 A. I use a curette infrequently and so I do  
11 note when I'm using it.

12 Q. Okay. And so based on the -- Is there  
13 any evidence in the record that you used a curette  
14 at all with --

15 A. No.

16 Q. Now, after you aspirate the first  
17 procedure, second procedure, third procedure you  
18 did on Tonya, you used the tip of the cannula to  
19 curettage the uterus. Right?

20 A. No.

21 Q. Did you use any type of curettage  
22 procedure at all with Tonya?

23 A. No. What I was trying to clarify is  
24 that the anatomy of the flexible cannulas, some

1 people will say by virtue of it having a  
2 horizontal line, that will curette.

3 Q. Okay. But you didn't use any curette in  
4 any form whatsoever on Tonya?

5 A. No.

6 Q. And you used an ultrasound for -- for  
7 both the second and third procedures on Tonya?

8 A. Correct.

9 Q. How many abortions did you perform on  
10 7/20?

11 A. I don't know.

12 Q. Do you have any idea?

13 A. No.

14 Q. They keep track of that at Planned  
15 Parenthood, I presume?

16 A. I would think so.

17 Q. Do you have any idea idea how many  
18 abortions you performed on 7/19 or 7/18?

19 A. No.

20 Q. Do you -- What did you do the morning of  
21 7/20? Did you do abortions or were you at your  
22 clinic doing something else? What did you do that  
23 morning?

24 A. The day in question I was at Planned

1 Parenthood all day.

2 Q. What time did you start at Planned  
3 Parenthood on 7/20?

4 A. I don't know exactly. I usually get  
5 there some time between 9 and 9:30.

6 Q. Okay.

7 A. Sometimes a little earlier than 9.

8 Q. And what were the hours that you were  
9 scheduled to work at Planned Parenthood on 7/20?

10 A. I don't have hours. I am there until  
11 all patients are seen and everything's done.

12 Q. Okay. How many hours typically do you  
13 spend at Planned Parenthood on a day like in July?

14 A. It can vary anywhere from, gosh, six  
15 hours to nine hours, I guess.

16 Q. And how long does it take you to perform  
17 a first trimester abortion?

18 A. Approximately 5 minutes.

19 Q. Um, do you have any idea how many  
20 abortions you performed at Planned Parenthood at  
21 7/20?

22 A. No. Did you perform any abortions after  
23 Tonya on 7/20?

24 A. After I saw her the first time, yeah.

1 Q. How many?

2 A. I don't know.

3 Q. Any idea?

4 A. No.

5 Q. After -- after the third aspiration for  
6 Tonya, did you perform more abortions on 7/20?

7 A. I am speculating but I think --

8 MR. HENRY: No, you're not speculating.

9 Either you know or you don't know.

10 THE WITNESS: I don't know exactly.

11 BY MR. PHILLIPS:

12 Q. Do you have an idea?

13 A. Approximately two.

14 Q. What time did you leave Planned  
15 Parenthood on 7/20?

16 A. I don't know.

17 Q. Did you go to Northwestern at all on  
18 7/20 to see Tonya or to talk to anybody?

19 A. I didn't go to Northwestern.

20 Q. You've been an advocate for abortion  
21 services for many years?

22 A. Yes.

23 Q. Have you lobbied any politicians or  
24 governmental bodies with regard to abortion



1 services? We're close.

2 A. I haven't --

3 MR. HENRY: Well, yeah, I've got  
4 questions to ask too and we're 3 minutes away.

5 MR. PHILLIPS: Oh, oh.

6 THE WITNESS: I can call my sitter. I  
7 don't -- I haven't lobbied, like politically  
8 lobbied.

9 BY MR. PHILLIPS:

10 Q. Okay.

11 A. I'm often called to speak, but --

12 Q. You've heard about complication rates  
13 with regard to first term and second term  
14 abortions. Right?

15 A. I've heard about it.

16 Q. Your complication rate is actually  
17 extremely low. Agreed?

18 A. Correct.

19 Q. Less than any published statistics  
20 you've seen. Right?

21 A. I haven't -- I don't think I've seen  
22 published statistics, and I don't know accurately  
23 my complication rate.

24 Q. Have you ever seen or consulted any type

1 of published statistics with regard to  
2 complication rates for first term or second term  
3 abortions?

4 A. Historically when I was training, I  
5 looked at that data.

6 Q. Do you remember what it says, any of  
7 them?

8 A. I don't recall.

9 Q. Okay. Do you have any idea as far as  
10 any literature with regard to the reasons  
11 complications occur rather than just the fact that  
12 complications do occur?

13 A. One of the reasons is if someone's not  
14 trained properly. One of the reasons is if it's  
15 not a safe and legal abortion, but other than  
16 that, I don't know of any reasons.

17 Q. Okay. So one of the major reasons is  
18 that's there complications following abortions  
19 would be negligence by the provider. True?

20 MR. HENRY: Objection. She never said  
21 that.

22 MR. PHILLIPS: I'm asking a question.

23 THE WITNESS: What I would say is that  
24 complications that may occur have to do with

1 someone not being trained appropriately.

2 BY MR. PHILLIPS:

3 Q. Okay. Is that negligence, performing an  
4 abortion and not being trained appropriately? I  
5 would think it would, wouldn't it?

6 A. Well, I can't speculate, but if  
7 someone's not trained to do something, then they  
8 shouldn't do it.

9 Q. I mean, a doctor should not perform  
10 abortion services if they're not trained  
11 appropriately. True?

12 A. I would agree with that statement.

13 Q. And it would be negligence to not be  
14 trained appropriately and perform abortion  
15 services. True?

16 A. Based on what you're saying, if someone  
17 didn't -- didn't have the skill and training, then  
18 yes.

19 Q. Okay. And, um, one of the major reasons  
20 for abortion complications is improper training or  
21 inadequate training. Right?

22 A. I disagree. I think in the United  
23 States right now because of the low rate of  
24 complications, a lot of it is what we would

1 call idiopathic where you don't really know why  
2 something has happened.

3 Q. Have you ever seen any studies as to  
4 determine why complications occur following  
5 abortions and a breakdown of the reasons?

6 A. No.

7 Q. Certainly one of the reasons for  
8 complications following an abortion is physician  
9 negligence. True?

10 A. I would agree with that statement.

11 Q. In fact, that's a major cause, isn't it?

12 MR. HENRY: Again, objection.

13 BY MR. PHILLIPS:

14 Q. Isn't that a major cause?

15 A. I don't know the answer to that.

16 Q. You don't know whether physician  
17 negligence is or is not a major cause. Is that  
18 right?

19 A. Well, if you're asking about the cause  
20 of complications in abortions, I don't know if the  
21 cause is negligence.

22 Q. My question is do you know whether or  
23 not physician negligence is a major cause of  
24 complications with abortions?

1           A.    In the United States, I believe that it  
2    is not, but I'm -- Based on what I read about in  
3    2001.

4           Q.    Are you guessing because that data's  
5    old?

6           A.    Um, based on the data in 2001, that  
7    answer is correct. I'm not sure what's changed in  
8    the last 12 years.

9           Q.    How many abortion providers are there in  
10   Illinois that you're aware of for first term  
11   abortions?

12          A.    I don't know that number.

13               MR. HENRY:  You know, we're past four  
14   o'clock, so why don't we just --

15               MR. PHILLIPS:  I'm almost done, unless  
16   you want to -- What do you want to do?

17               MR. HENRY:  Well --

18               MR. PHILLIPS:  I'm close. I mean I'm  
19   real close, but you want to make a call? Can you  
20   stay for a few minutes?

21               THE WITNESS:  I probably can. I was  
22   prepared to be here earlier and so that's my child  
23   care.

24               MR. HENRY:  I know, that's the issue.

1 THE WITNESS: I'm more than happy if you  
2 want to pause I can call and see if they can stay.  
3 I don't mind, it's just -- I just found out about  
4 the change in time yesterday.

5 MR. PHILLIPS: I'm really close. It's  
6 your call.

7 MR. HENRY: Well, what's really close?  
8 You've been really close for a while?

9 MR. PHILLIPS: Have I?

10 MR. HENRY: Yeah.

11 MR. PHILLIPS: I'm on my last page, and  
12 I think I've asked most of them, so I'm --

13 MR. HENRY: Okay, well, it looks like  
14 another 15 or 20 minutes?

15 MR. PHILLIPS: Oh, no, no, no, no, no.  
16 I'm thinking less than 5.

17 THE WITNESS: Let's go.

18 BY MR. PHILLIPS:

19 Q. When the phrase risk of complications is  
20 used, it means that certain conditions occur.  
21 True?

22 A. Can you please repeat that?

23 Q. You've heard the phrase that there's  
24 risks of complications with a certain procedure.

1 Right?

2 A. Correct.

3 Q. The phrase risk of complication or risk  
4 of the procedure simply means that certain  
5 conditions can occur.

6 A. Correct.

7 Q. The phrase risk of complication does not  
8 mean why those complications occur or the reasons  
9 for those complications. It just means that the  
10 complications occur. Is that true?

11 A. Correct.

12 Q. Is there any conversation you had with  
13 Ms. Reaves or Ms. Johns or any family member that  
14 you and I have not spoken about?

15 A. I don't think so.

16 Q. That's all I have.

17 E-X-A-M-I-N-A-T-I-O-N

18 BY MR. HENRY:

19 Q. All the instruments that are used for an  
20 abortion procedure, those instruments can be used  
21 properly and the patient can still experience a  
22 perforation. Correct?

23 A. Correct.

24 Q. That's the reason why patients are told

1 about the risk of perforation prior to the  
2 procedure. Correct?

3 A. Correct.

4 Q. Using ultrasound is not a guarantee that  
5 you will not have a perforation. Correct?

6 A. Absolutely.

7 Q. The ultrasound is not a -- does not give  
8 you a 3-dimensional picture. Correct?

9 A. Correct.

10 Q. And atonic uterus is the most common  
11 cause of a boggy uterus. Correct?

12 A. Atonic uterus and boggy uterus are  
13 synonymous. Atonic uterus is the most common  
14 cause of hemorrhage or bleeding from a pregnant  
15 uterus.

16 Q. After an abortion procedure?

17 A. Either after an abortion or of delivery.

18 Q. Your -- your note that you read talks  
19 about that the ultrasound was consistent with a  
20 biconuate --

21 A. Cornuate.

22 Q. Cornuate versus two uteri. Explain  
23 that.

24 A. A normal uterus should be shaped like a



1 balloon and a bicornuate has two horns. It almost  
2 looks like a heart, and two uteri would literally  
3 be two separate balloons next to each other. And  
4 so when I did the ultrasound and saw two  
5 heterogeneous masses, it looked like two uteri,  
6 which is incredibly rare. I've seen it before,  
7 but it would be more likely that she had a  
8 bicornuate uterus.

9 Q. The ultrasound did not reveal any  
10 findings that suggested that a perforation had  
11 occurred. Is that correct?

12 A. Correct.

13 Q. Was there anything on physical  
14 examination while the patient was still at planned  
15 parenthood that indicated to you that there was a  
16 perforation that had occurred?

17 A. None at all.

18 Q. That's all I have.

19 F-U-R-T-H-E-R E-X-A-M-I-N-A-T-I-O-N

20 BY MR. PHILLIPS:

21 Q. Um, you referenced a conversation with a  
22 Dr. Hoke, the medical director of Planned  
23 Parenthood.

24 A. Mm-hmm.

1 Q. Yes?

2 A. Correct.

3 Q. What did you say to Dr. Hoke, and what  
4 did Dr. Hoke say to you during this conversation  
5 you had with him about Tonya?

6 A. Her. She's the medical director. I  
7 called her to let her know that I had a patient,  
8 16 week, had had some heavy bleeding in the  
9 recovery room, we brought her back. Her uterus  
10 had been boggy and the bleeding was controlled,  
11 but I wanted to send her to the emergency room.

12 Q. What did Dr. Hoke say to you?

13 A. She said if the uterus -- if the vaginal  
14 bleeding had stopped and the patient was stable I  
15 didn't have to sand her.

16 Q. Any further conversation?

17 A. With Dr. Hoke that day? I ultimately  
18 did send her an E-mail confirming that I had sent  
19 the patient.

20 Q. Did you ever verbally discuss Tonya with  
21 Dr. Hoke again?

22 A. Yes.

23 Q. When?

24 A. The next day.

1 Q. Tell us about that conversation. What  
2 did you say to her, what did she say to you?

3 A. I got a phone call at about five  
4 o'clock, maybe 5:30 or 6. It was evening, and she  
5 called to let me know that Tonya had died.

6 Q. Anything further?

7 A. She tried to tell me as much as she knew  
8 about the course of events, but there was nothing  
9 substantive.

10 Q. What did Dr. Hoke tell you about the  
11 course of events this day after Tonya died when  
12 she told you that Tonya died because I had been on  
13 the phone with the resident at 7:30 and the  
14 patient was stable, so when did she die, and she  
15 wasn't certain but she thought it was sometime  
16 around 11 or 12. She actually hadn't been  
17 contacted either. The way we found out she died  
18 is a reporter had contacted Planned Parenthood  
19 asking about the death of the patient, at which  
20 time I think either Caroline -- either the vice  
21 president or the medical director tried to figure  
22 out what -- what patient of ours had died.

23 Q. Did Dr. Hoke or any other physician  
24 evaluate Tonya or the ultrasounds on July 20th?

1           A.    At Planned Parenthood?  Not to my  
2    knowledge.

3           Q.    Okay.  So no other physician assisted  
4    you or evaluated Tonya at Planned Parenthood.  
5    Correct?

6           A.    Correct.

7           Q.    Did any other physician.  Strike that.  
8    Did any other physician or person visualize the  
9    ultrasounds or consult on the ultrasounds for  
10   Tonya?

11          A.    Any other physician?  No, we did send  
12   copies to -- I think we sent copies to the ER but  
13   they don't Xerox well.

14          Q.    Okay.  So my question is, while Tonya  
15   was at Planned Parenthood or even after she was at  
16   Planned Parenthood, you're not aware of any  
17   physician evaluating or reading those ultrasounds?

18          A.    Correct.

19          Q.    Um, did any other person at Planned  
20   Parenthood ever visualize Tonya's cervix or into  
21   her vagina during the course of your procedures?

22          A.    It's possible that the staff in the room  
23   who are assisting me, but, no, they weren't  
24   looking in her vagina.

1 Q. Okay. There was no other healthcare  
2 provider at Planned Parenthood who was determining  
3 why Tonya was bleeding abnormally or  
4 uncontrollably or whether or not she had a  
5 perforated uterus or not, other than you at  
6 Planned Parenthood. Correct?

7 A. Well, the nurses in the recovery room  
8 assessed the bleeding, but after the procedure,  
9 while we were waiting for the ambulance to come, I  
10 was the only one.

11 Q. What I'm trying to get at is there was  
12 no other person at Planned Parenthood who was  
13 making a determination whether or not Tonya had a  
14 perforated uterus, other than you. Correct?

15 A. Can you rephrase that?

16 Q. All I'm trying to get at is there was no  
17 other physician who was assisting you --

18 A. Correct.

19 Q. Hang on. There was no other physician  
20 at Planned Parenthood who was assisting you,  
21 consulting with you, guiding you, working with you  
22 during the course of your care and treatment for  
23 Tonya Reaves. Right?

24 A. Correct, I was the only physician in

1 person.

2 Q. Okay. Have you ever published anything,  
3 documents or in the literature about Tonya Reaves'  
4 case?

5 A. No.

6 Q. Okay. Do you plan on doing that?

7 A. No.

8 Q. Okay. That's all I -- Have I exhausted  
9 your memory with regard to conversations with  
10 anybody about Tonya Reaves?

11 A. No.

12 Q. Okay, what else you got?

13 A. My conversations with Ms. Joyce, the  
14 mom.

15 Q. Okay, what else -- What other  
16 conversations with Ms. Joyce that we haven't  
17 talked about?

18 A. When I went in the room, she was trying  
19 to understand what was going on, and I was  
20 explaining to her that I wasn't exactly sure,  
21 Tonya was stable, and she said, well, what could  
22 happen, what could happen, and I said there's  
23 always a risk of someone dying, but I don't think  
24 that will happen because she's stable right now,

1 and in this situation if there's some bleeding  
2 going on or if they can't stop bleeding, then they  
3 may have to remove her uterus, but at that time  
4 that seemed like the worst case scenario.

5 Q. And when was that conversation at, after  
6 the second?

7 A. After I had -- After the third  
8 aspiration and before I called the ambulance,  
9 because Tonya wanted me to talk to her mom before  
10 I called the ambulance.

11 Q. Any further conversations with any  
12 person about Tonya Reaves that you and I have not  
13 spoken about?

14 A. When Tonya was being transferred in the  
15 ambulance chair, so she was up and alert sitting  
16 in the -- they -- instead of a gurney, they have  
17 the gurneys that sit up. She was with Ms. Joyce,  
18 and she was kind of giggling that she didn't want  
19 to go and who would watch the car.

20 Q. At the time Tonya was transferred from  
21 Planned Parenthood to Northwestern in the  
22 ambulance, was she still bleeding?

23 A. Not when she moved from the table to the  
24 paramedics. She was not actively bleeding at that

1 time.

2 Q. And how do you know that?

3 A. Because I checked.

4 Q. How did you check?

5 A. When we transferred her, there was a  
6 clean chuck under her, and there were little drops  
7 of blood, which is normal to come from the vagina,  
8 but there wasn't any pooling.

9 Q. Prior to the time that the ambulance  
10 door closed and took Tonya away, when was the last  
11 time you saw bleeding beyond absolute minimum?

12 A. Prior -- prior to the third evacuation  
13 when I placed that speculum she had bleeding -- or  
14 clot in her vagina and then I aspirated, and then  
15 at that point we had given the Methergine, we had  
16 given Pitocin. She had already had the  
17 misoprostol and there was not any notable  
18 bleeding.

19 Q. Now, how many minutes was it between the  
20 time that the third procedure ended, the third  
21 aspiration and the time the ambulance left Planned  
22 Parenthood?

23 A. Um, I think about 20 minutes.

24 Q. And how many minutes was it between the



1 time that the second aspiration ended and the  
2 third aspiration began?

3 A. Well, she -- The second one was at about  
4 1:04, approximately 1:04, and then she came back  
5 at 3 -- What 15 -- 3.

6 Q. And how many minutes was it between the  
7 time the first aspiration ended and the second  
8 aspiration began?

9 A. Maybe 4 minutes.

10 Q. Okay, that's all I have.

11 MR. ATWOOD: I have one quick que --

12 MR. HENRY: Just one more question.

13 F-U-R-T-H-E-R E-X-A-M-I-N-A-T-I-O-N

14 BY MR. HENRY:

15 Q. When you spoke to the intern at around  
16 7:30 that evening, did the intern mention to you  
17 that when they did their procedure they had found  
18 any perforation?

19 A. No, I asked what she saw, and she said  
20 that there was a little bit of -- and she used the  
21 word placenta; I use products of conception, and  
22 she said the patient had been severely anteflexed.  
23 Um, but otherwise --

24 Q. But there was no mention of them finding

1 a perforation?

2 A. No.

3 Q. Okay, that's all I have.

4 MR. ATWOOD: Brian, I have one quick  
5 question.

6 E-X-A-M-I-N-A-T-I-O-N

7 BY MR. ATWOOD:

8 Q. On that -- The conversation you had with  
9 the resident on-call, I mean that first call you  
10 had --

11 A. Correct.

12 Q. -- from --

13 A. When I was giving report.

14 Q. Right, that call. Did you ever mention  
15 to that resident that you suspected a perforation?

16 A. I -- I didn't mention that.

17 Q. Okay. Fair enough. Thanks.

18 F-U-R-T-H-E-R E-X-A-M-I-N-A-T-I-O-N

19 BY MR. PHILLIPS:

20 Q. Did you ever mention to that resident  
21 that you called anything that suggested there may  
22 be a perforation or words to that effect, or you  
23 just said I'm sending a patient over with  
24 bleeding?

1           A.    No, I said I'm sending a patient over  
2 with anatomy that I can't identify who had  
3 bleeding, and so I wanted that to be clarified.

4           Q.    Okay, that's all I have.

5           THE REPORTER:   Signature?

6           MR. HENRY:   Reserved.

7           MR. PHILLIPS:   We better attach all the  
8 exhibits before we go on an Easter egg hunt.

9           THE REPORTER:   This is the end of tape  
10 number three.   This is the end of today's  
11 testimony.   The time is 4:17 p.m., and the running  
12 length of this tape is 52 minutes and 15 seconds.

13

14

15

16

17

18

19

20

21

22

23

24

1 STATE OF ILLINOIS )  
2 ) SS:  
3 COUNTY OF C O O K )

4 I, JOE NUNEZ BEILE, a Notary  
5 Public within and for the County of Cook and  
6 State of Illinois, do hereby certify that MANDY  
7 GITTLER, M.D., the deponent, was by me first duly  
8 sworn to testify the truth, the whole truth and  
9 nothing but the truth in the cause aforesaid;  
10 that the deposition of the said MANDY GITTLER,  
11 M.D., was taken before me at 161 North Clark  
12 Street, Suite 4925, Chicago, Illinois, commencing  
13 at the hour of 1:19 p.m. on the 22nd day of  
14 August, A.D. 2013, and was concluded at the hour  
15 of 4:17 p.m. on that date.

16 I further certify that the  
17 testimony given at said deposition by said  
18 witness was recorded by an audio/visual recording  
19 device, by me in the presence of said witness and  
20 thereafter transcribed into typewriting under my  
21 direction and control.

22 I further certify that the  
23 foregoing transcript of said deposition is a  
24 true, complete and correct report of the entire

1 testimony so given by said witness, together with  
2 such other matters and things as counsel for the  
3 parties present at the taking of said deposition  
4 desire to have appear of record.

5 I further certify that I am not  
6 counsel for, nor attorney for any of the parties  
7 to the aforesaid cause, nor am I related to any  
8 of the parties to the aforesaid cause, nor am I  
9 interested in any manner in the said cause or in  
10 its outcome.

11 I further certify that the  
12 deponent has reserved the right to review and  
13 certify this transcript.

14 IN WITNESS WHEREOF, I have  
15 hereunto set my hand and affix my seal of office,  
16 at Chicago, Illinois this 26th day of September,  
17 A.D. 2013.

18  
19  
20  
21  
22  


NOTARY PUBLIC



23 My commission expires:  
24 September 5, 2016.